

STATE OF MINNESOTA

DISTRICT COURT

COUNTY OF HENNEPIN

FOURTH JUDICIAL CIRCUIT

- - - - -

LEIGH ANN ENGH, DARCENE and GREG LENSING, on  
behalf of the general public, themselves and  
all others similarly situated

Plaintiffs

v.

Court File No. PI-04-012879

SMITHKLINE BEECHAM CORPORATION, d/b/a

GLAXOSMITHKLINE, a Pennsylvania corporation

Defendant

- - - - -

(Captions continued on following pages.)

VOLUME 2, VIDEO DEPOSITION of MARTIN B.  
KELLER, M.D., a witness called by counsel for the  
Plaintiffs, taken under the provisions of the  
California Rules of Civil Procedure, before Jill  
K. Ruggieri, Registered Merit Reporter, Certified  
Realtime Reporter and Notary Public, at the  
offices of Robert S. Bruzzi, Esq., 18 Imperial  
Street, Providence, Rhode Island, taken on  
Thursday, September 7, 2006, commencing at  
10:18 a.m.

ATKINSON-BAKER, INC.

COURT REPORTERS

(800) 288-3376

www.depo.com

FILE NO.: A00640A

1 UNITED STATES DISTRICT COURT FOR THE  
2 EASTERN DISTRICT OF PENNSYLVANIA

3  
4 PAMELA BLAIN, individually and as personal  
5 representative of the Estate of TREVOR KYLE  
6 BLAIN, II, deceased, and on behalf of all those  
7 similarly situated; TONYA D. BROOKS, individually  
8 and on behalf of all of those similarly situated;  
9 RONALD BLAIN, individually; LEX BROOKS,  
10 individually; CHERYL BROOKS, individually

11 Plaintiffs

12 v. Case No. 06-1247 JD

13 SMITHKLINE BEECHAM CORPORATION d/b/a  
14 GLAXOSMITHKLINE, a Pennsylvania corporation

15 Defendant  
16  
17  
18  
19  
20  
21  
22  
23  
24

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

THE SUPERIOR COURT OF THE STATE OF CALIFORNIA  
FOR THE COUNTY OF ORANGE

BEVERLY SMITH, on behalf of herself and all  
others similarly situated and on behalf of the  
general public

Plaintiff

v. Case No. 04 CC 00590

SMITHKLINE BEECHAM CORPORATION, d/b/a  
GLAXOSMITHKLINE, a Pennsylvania corporation, and  
DOES 1-100, inclusive

Defendants

## 1 APPEARANCES:

2  
3 Baum Hedlund

4 George Murgatroyd, III, Esq.

5 Karen Barth Menzies, Esq.

6 12100 Wilshire Boulevard, Suite 950

7 Los Angeles, California 90025

8 (310) 207-3233 Fax: (310) 820-7444

9 on behalf of plaintiffs in Blain and Smith

10 and Engh

11  
12 Pendley, Baudin & Coffin, LLP

13 Christopher L. Coffin, Esq.

14 24110 Eden Street

15 Plaquemine, Louisiana 70764

16 (225) 687-6396 Fax: (225) 687-6398

17 on behalf of plaintiffs in Engh

18  
19 King & Spalding

20 Todd P. Davis, Esq.

21 1180 Peachtree Street, N.E.

22 Atlanta, Georgia 30309-3521

23 (404) 572-3589 Fax: (404) 572-5137

24 on behalf of defendant

1 James M. Green, Deputy General Counsel  
2 Brown University, Office of the General  
3 Counsel  
4 110 South Main Street  
5 Providence, Rhode Island 02912-1913  
6 (401) 863-9977 Fax: (401) 863-1120  
7 on behalf of the deponent

8  
9 Also present: Tamar Halpern, Esq., Phillips Lytle

10  
11 Videographer: Shawn Budd  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

1	P R O C E E D I N G S	10:05:04
2	THE VIDEOGRAPHER: We are back on the	10:16:24
3	record. Today's date is September 7, 2006,	10:16:26
4	and this is the continuation of the	10:16:31
5	deposition of Dr. Martin B. Keller, and the	10:16:32
6	time is approximately 10:18.	10:16:35
7	You may continue.	10:16:38
8	MR. DAVIS: Just a couple of	10:16:39
9	housekeeping issues concerning the	10:16:40
10	deposition exhibits that have presently been	10:16:42
11	marked.	10:16:44
12	None of those have -- are subject to	10:16:45
13	the protective order that's been entered	10:16:49
14	into the cases, with the exception of	10:16:52
15	Exhibit 24, which was marked, and we had a	10:16:54
16	discussion about it at the end of	10:16:59
17	yesterday's deposition. That is -- that	10:17:01
18	document is subject to the protective order	10:17:04
19	in the case.	10:17:05
20	In addition, we've had conversations	10:17:06
21	with counsel for plaintiffs and counsel for	10:17:08
22	Dr. Keller off the record, and the	10:17:11
23	plaintiffs have informed the other	10:17:15
24	participants that they will not complete	10:17:17

1           their questioning of Dr. Keller today, and           10:17:19

2           so we have agreed to reconvene for a third           10:17:23

3           day to finish the deposition.           10:17:26

4                     And at that time, the plaintiffs will           10:17:30

5           finish their questioning. GSK will be           10:17:32

6           entitled to have time with Dr. Keller to ask           10:17:36

7           him questions concerning the issues raised           10:17:38

8           in the lawsuit and the issues raised by           10:17:41

9           plaintiffs' counsel, and then we will finish           10:17:45

10          on that -- on that third day.           10:17:47

11                     MR. GREEN: And I think we agreed           10:17:49

12          that we're going to conclude at 4:00 today;           10:17:50

13          is that right?           10:17:53

14                     MR. DAVIS: That's correct, yes.           10:17:55

15                     MR. GREEN: Okay.           10:17:57

16                     THE WITNESS: Actually, is           10:17:58

17          3:45 possible, just so I can get to a           10:17:58

18          meeting?           10:18:01

19                     MR. COFFIN: I don't have a problem           10:18:03

20          with that, considering we've all agreed to           10:18:04

21          an additional day. If counsel for GSK and           10:18:06

22          your counsel --           10:18:09

23                     MR. DAVIS: That's fine.           10:18:11

24                     MR. GREEN: Do you want to put on the           10:18:12

1 record we signed the nondisclosure agreement 10:18:13

2 as well as Exhibit 24 and that's been given 10:18:15

3 to the notary and she's going to notarize 10:18:19

4 it and -- 10:18:21

5 MR. MURGATROYD: We're going to make 10:18:22

6 it Exhibit 25. 10:18:22

7 Do we actually have it handy? 10:18:25

8 MR. COFFIN: Yes, why don't we do 10:18:29

9 that. 10:18:29

10 MR. MURGATROYD: Let's make it 10:18:29

11 Exhibit 25. 10:18:29

12 Exhibit 24 will now be officially 10:18:30

13 part of the pile, but I'm going to reserve 10:18:32

14 my questions on it for when it's my turn 10:18:34

15 again. 10:18:37

16 MR. GREEN: Sure. 10:18:38

17 (Discussion off the record.) 10:18:38

18 (Exhibit No. 25 marked for 10:18:38

19 identification.) 10:18:38

20 MR. COFFIN: Okay. 10:18:46

21 So Exhibit 25 to Dr. Keller's 10:18:46

22 deposition will be the agreement by 10:18:49

23 Dr. Keller and his counsel to abide by the 10:18:51

24 protective order specifically in the Blain 10:18:55



1 case, but also applying to protective orders 10:18:59

2 in Engh and -- 10:19:04

3 MR. MURGATROYD: Smith. 10:19:07

4 MR. COFFIN: -- Smith. Thank you. 10:19:07

5 MR. MURGATROYD: Did we go through 10:19:11

6 the dedesignation of all the documents 10:19:12

7 except for 24? 10:19:14

8 MR. COFFIN: Yes, Todd just -- 10:19:16

9 Mr. Davis stated that on the record. 10:19:17

10 MR. MURGATROYD: Okay. Excellent. 10:19:19

11 MR. COFFIN: Todd, do you have 10:19:20

12 anything else before we get started? 10:19:21

13 10:19:22

14 MARTIN B. KELLER, M.D., a witness 10:19:22

15 having been previously duly sworn, on oath 10:19:22

16 deposes and says as follows: 10:19:22

17 10:19:22

18 EXAMINATION 10:19:22

19 BY MR. COFFIN: 10:19:23

20 Q Dr. Keller, my name is Chris Coffin. I 10:19:24

21 represent the plaintiffs in the case 10:19:26

22 entitled Engh, et al. versus 10:19:28

23 GlaxoSmithKline. It's in the state court in 10:19:30

24 Minnesota. 10:19:32

1 I have, obviously, a number of 10:19:34

2 questions to ask you, and some of them I'm 10:19:37

3 going to begin with are some follow-up 10:19:40

4 questions to some that Mr. Murgatroyd asked 10:19:44

5 you yesterday. 10:19:46

6 The first thing is, after the 10:19:46

7 deposition concluded yesterday, you stepped 10:19:48

8 out in the hallway and had some discussions 10:19:51

9 with counsel for GlaxoSmithKline, correct? 10:19:53

10 Mr. Davis? 10:19:55

11 MR. DAVIS: Incorrect, but you can 10:19:57

12 answer the question -- the witness can 10:19:58

13 answer the question for himself. 10:20:00

14 A No. 10:20:01

15 Q You didn't speak to him after the 10:20:02

16 deposition? 10:20:04

17 A Well, I just said goodbye, and I asked him 10:20:05

18 where is he going to eat dinner. I don't 10:20:07

19 remember -- I don't actually remember if we 10:20:12

20 spoke. 10:20:12

21 Q You don't remember stepping out in the hall 10:20:13

22 and talking to him? 10:20:15

23 A Actually, I didn't. 10:20:16

24 Q Okay. 10:20:17

1 A I didn't. I mean, I do remember and I 10:20:17  
2 didn't speak to him. 10:20:19

3 Q Okay. 10:20:20  
4 Were you present when he was 10:20:21  
5 speaking -- did Mr. Davis speak to your 10:20:22  
6 counsel? 10:20:25

7 A I don't know. I went down -- I went 10:20:25  
8 downstairs, and these two gentlemen stayed 10:20:27  
9 upstairs. 10:20:30  
10 I have no idea what they did. 10:20:31

11 Q Okay. 10:20:32  
12 You testified yesterday that you've 10:20:33  
13 given a deposition in the past, correct? 10:20:35

14 A Yes. 10:20:39

15 Q Okay. 10:20:40  
16 And how many times have you given a 10:20:40  
17 deposition, other than in this case or these 10:20:41  
18 cases? 10:20:43

19 MR. DAVIS: Object to the form. 10:20:50

20 A I think either -- either once or twice. 10:20:51

21 Q Okay. 10:20:54  
22 And do you recall what the substance 10:20:54  
23 of the case was in which -- 10:20:57

24 A Yes. 10:20:58

1 Q -- you were giving a deposition? 10:20:59

2 Wait until I'm finished with my 10:21:01

3 question. 10:21:04

4 A Oh, I'm sorry. 10:21:05

5 Q That's okay. It's a new day, so it takes 10:21:05

6 some time. 10:21:07

7 A Yes. 10:21:07

8 (Laughter.) 10:21:07

9 Q Do you recall the substance of the case in 10:21:08

10 with you provided a deposition? 10:21:10

11 A Yes. 10:21:11

12 Q And what was the substance of that case? 10:21:12

13 A Could we go off record? 10:21:15

14 Q Well, not when there's a question pending. 10:21:18

15 That's the only -- 10:21:20

16 A I'm not -- it was something that was under a 10:21:22

17 grand jury, and I don't know whether I'm 10:21:28

18 allowed to say so. 10:21:31

19 It was a highly confidential matter. 10:21:33

20 Q Okay. 10:21:36

21 A I can say it had nothing to do -- I mean, it 10:21:37

22 was a very -- had to do -- 10:21:40

23 I was -- how to put this. I was -- I 10:21:42

24 was represented -- I was -- I was an expert 10:21:47

1 witness for the United States of America, 10:21:50

2 who was the plaintiff in a very high-profile 10:21:53

3 lawsuit having to do with -- with nothing 10:21:58

4 basically related to what we're doing here. 10:22:02

5 Q Okay. 10:22:04

6 A Twice I was the plaintiff for the United 10:22:05

7 States of America, and they seem to have me 10:22:06

8 shredding everything as soon as I read it, 10:22:10

9 so I don't know whether I -- 10:22:12

10 Q That's fine. 10:22:13

11 A I mean, you tell me. I don't know whether 10:22:14

12 I'm allowed to say. 10:22:15

13 Q No, we don't need to get into that. 10:22:17

14 Can you tell me -- first of all, you 10:22:19

15 weren't a party in either of those cases? 10:22:21

16 A No. 10:22:23

17 Q Okay. 10:22:23

18 And was -- was the -- what was your 10:22:26

19 testimony as -- what were you qualified -- 10:22:29

20 Were you qualified as an expert in 10:22:31

21 that case? Let me ask that first. 10:22:33

22 A Yes. 10:22:35

23 Q Okay. 10:22:35

24 And what was the area you were 10:22:36

1 qualified as an expert in? 10:22:37

2 A Had to do with a liability of a company 10:22:41

3 based on a -- what's the word -- based on 10:22:58

4 the performance of a wholly owned subsidiary 10:23:06

5 medical company that they had which would -- 10:23:09

6 which performed medical and psychiatric 10:23:11

7 examination of one of their employees. 10:23:16

8 And my expertise had to do with the 10:23:22

9 quality of the performance of their wholly 10:23:25

10 owned subsidiary and the conclusion they 10:23:29

11 made as to the mental state of the employee 10:23:32

12 and the implications of that for an action 10:23:36

13 which led to great distress for the United 10:23:40

14 States of America. 10:23:45

15 Q Okay. 10:23:46

16 You -- have you ever been qualified 10:23:46

17 as an expert in any other cases other than 10:23:49

18 those that we don't need to talk about that 10:23:51

19 you mentioned? 10:23:54

20 A No. 10:23:55

21 Q Okay. 10:23:56

22 You've never been qualified as an 10:23:58

23 expert in child psychiatry, correct? 10:23:59

24 A Never been qualified -- correct. 10:24:03

1 Q Do you hold yourself out to be an expert in 10:24:08  
2 child psychiatry? 10:24:10

3 A I'm an expert in the design and 10:24:17  
4 implementation of certain types of clinical 10:24:24  
5 research related to child and adolescent 10:24:30  
6 psychiatry; and based on the fact that I've 10:24:35  
7 been a coprincipal investigator and 10:24:39  
8 principal investigator on at least, you 10:24:42  
9 know, on many -- on at least four or five 10:24:45  
10 National Institutes of Health funded 10:24:51  
11 research grants since 1980s, so I assume I'm 10:24:54  
12 an expert, because it's hard to get grants 10:24:59  
13 funded, and they funded them and I did the 10:25:02  
14 work. 10:25:04

15 Q Okay. 10:25:04

16 Let me go back to the question, and 10:25:05  
17 I'll state it a little differently, more 10:25:06  
18 specific. 10:25:09

19 Do you hold yourself out to be an 10:25:09  
20 expert in the treatment of children and 10:25:10  
21 adolescents in the field of psychiatry? 10:25:13

22 MR. DAVIS: Objection. 10:25:15

23 Asked and answered. 10:25:16

24 A I know an enormous amount about the 10:25:23

1	treatment of children and adolescents with	10:25:34
2	mood disorders based on the literature and	10:25:37
3	performing and designing trials, not based	10:25:47
4	on my own personal treatment in a clinical	10:25:51
5	setting of these individuals.	10:25:57
6	Q Right.	10:25:59
7	And I think yesterday you testified	10:25:59
8	you hadn't actually treated a child or	10:26:01
9	adolescent in at least 20 years, correct?	10:26:04
10	A Correct.	10:26:08
11	Q Okay.	10:26:08
12	So any of your knowledge or	10:26:09
13	information you have about treatment with	10:26:13
14	children at least within the last 20 years	10:26:16
15	has to do with research and/or literature,	10:26:19
16	correct?	10:26:22
17	A No.	10:26:24
18	Q Okay.	10:26:25
19	Let me say it this way: Any of your	10:26:26
20	knowledge regarding treatment of children	10:26:29
21	and adolescents in the field of psychiatry	10:26:33
22	at least within the last 20 years is not	10:26:36
23	gained by your personal treatment of	10:26:39
24	children or adolescents, correct?	10:26:42



1 A The -- not in -- that's not entirely 10:26:45  
2 correct. 10:26:49

3 Q All right. 10:26:51

4 A I also have -- sit in on a periodic basis on 10:26:52  
5 case conferences that involve our trainees, 10:27:00  
6 residents, psychologists, in which cases are 10:27:05  
7 presented and discussed; and I'll often be 10:27:10  
8 one of the discussants so that the -- the 10:27:14  
9 patient being discussed for supervision by 10:27:17  
10 senior people, which would include myself, 10:27:22  
11 would be that of a child or an adolescent. 10:27:24

12 And my expertise is brought in based 10:27:28  
13 on the knowledge that I have both from the 10:27:31  
14 treatment of adults and also from research, 10:27:33  
15 because there is considered to be some 10:27:37  
16 carryover. So I do hear the presentation of 10:27:39  
17 clinical cases in that type of venue. 10:27:42

18 Q Okay. 10:27:46

19 Do you recall anymore clearly when 10:27:50  
20 the last time you treated a child or 10:27:55  
21 adolescent in psychiatry was, other than the 10:27:57  
22 broader answer you've given sometime after 10:28:01  
23 the last or prior to the last 20 years? 10:28:06

24 A Could you please repeat the question? 10:28:12

1 Q Yes, I'm sorry. That was a difficult 10:28:14  
2 question. 10:28:15  
3 The question is, can you say any more 10:28:16  
4 specifically when the last time you treated 10:28:19  
5 a child or adolescent for a psychiatric 10:28:21  
6 issue was? 10:28:24  
7 A When you say treated, if you could just 10:28:27  
8 clarify what you mean by treated? 10:28:29  
9 Q Sure. 10:28:31  
10 Evaluated and prescribed some kind of 10:28:32  
11 treatment, either psychotherapy and/or 10:28:38  
12 pharmacotherapy. 10:28:42  
13 A Okay. 10:28:45  
14 So -- and the question is very -- 10:28:46  
15 could you -- 10:28:48  
16 Do you mind just repeating the 10:28:50  
17 question? 10:28:52  
18 Q Sure. 10:28:52  
19 The question is, do you recall any 10:28:53  
20 more specifically how many years ago it was 10:28:54  
21 since you've treated a child or adolescent 10:28:58  
22 in the field of psychiatry? 10:29:01  
23 A No. 10:29:02  
24 Q Okay. 10:29:04

1	So your best answer is sometime over	10:29:04
2	20 years ago?	10:29:06
3	A Yes.	10:29:07
4	Q Okay. All right.	10:29:08
5	Yesterday there was some -- excuse	10:29:12
6	me. There was some questions about the	10:29:13
7	advisory board meetings that you had with	10:29:15
8	regard to Study 329; do you recall that?	10:29:17
9	A Yes.	10:29:20
10	Q Do you recall those questions?	10:29:20
11	A In generality.	10:29:25
12	Q Good. That's all I'm looking for.	10:29:27
13	You attended multiple advisory board	10:29:30
14	meetings that addressed the Study 329,	10:29:33
15	correct?	10:29:37
16	A No.	10:29:37
17	Q You did not?	10:29:38
18	A No.	10:29:38
19	Q Okay.	10:29:39
20	Were you involved in a group of	10:29:41
21	investigators that met to discuss the	10:29:44
22	results of -- or, excuse me, the methodology	10:29:46
23	of Study 329?	10:29:50
24	A Please repeat that.	10:29:56

1 Q Were you involved in meetings that discussed 10:29:58  
2 the design, implementation, methodology of 10:30:01  
3 study 329? 10:30:07  
4 A Yes, but -- 10:30:08  
5 Q And what did you -- 10:30:09  
6 A But just to make sure that your question 10:30:10  
7 isn't linked to your previous one, these 10:30:11  
8 were not advisory board meetings. These 10:30:14  
9 meetings were not sponsored by a third 10:30:17  
10 party. 10:30:19  
11 These meetings were meetings that 10:30:19  
12 were organized by myself and my peers and 10:30:21  
13 colleagues. 10:30:28  
14 Q Okay. 10:30:29  
15 Were those the -- the meetings that 10:30:29  
16 you're discussing right now that you said 10:30:32  
17 you and your peers and colleagues had, did 10:30:33  
18 they include Jim McCafferty from 10:30:36  
19 GlaxoSmithKline? 10:30:40  
20 A I don't recall whether he was ever present 10:30:41  
21 at any of these meetings. If he was present 10:30:44  
22 at any of the meetings, it might have been a 10:30:49  
23 meeting or two very long after, you know, 10:30:50  
24 well -- well more than a year after we 10:30:59

1           started meeting and discussing and after we           10:31:02

2           basically had a protocol set and written.           10:31:05

3                       I'm not saying that happened. I'm           10:31:07

4           saying it's possible that it happened; but           10:31:09

5           if it did happen, it happened long after the           10:31:12

6           study was discussed and designed and we had           10:31:16

7           written our own internal -- our own           10:31:20

8           protocol.           10:31:22

9       Q     Okay.           10:31:25

10                       Are those meetings that you just           10:31:25

11           described the same meetings you were talking           10:31:28

12           about where you were presented with           10:31:30

13           prescription numbers or sales figures           10:31:34

14           regarding Paxil?           10:31:36

15       A     No.           10:31:38

16       Q     Okay.           10:31:39

17                       What are those meetings?           10:31:40

18       A     Which meetings?           10:31:44

19       Q     Okay.           10:31:44

20                       Yesterday you testified that at some           10:31:47

21           meetings, I don't know the title of them --           10:31:49

22           advisory board, investigator meetings --           10:31:50

23           there were some meetings in which you were           10:31:52

24           provided information from a representative           10:31:57

1 of GlaxoSmithKline regarding sales figures 10:32:01

2 for Paxil; is that correct? 10:32:04

3 MR. DAVIS: Object to the form. 10:32:07

4 Mischaracterizes the testimony. It's 10:32:08

5 been asked and answered. 10:32:10

6 A Could you just say that more succinctly, 10:32:13

7 please? 10:32:16

8 Q Have you ever been to a meeting -- have you 10:32:18

9 ever been to any meeting in your whole 10:32:19

10 entire life where the results or the sales 10:32:21

11 figures from Paxil sales were presented to 10:32:26

12 you? 10:32:30

13 A I don't recall if I was. 10:32:32

14 Q Okay. 10:32:36

15 At the investigator meetings that you 10:32:46

16 testified about -- 10:32:49

17 Are we clear on what investigator 10:32:50

18 meetings are? 10:32:52

19 A No. 10:32:53

20 Q Okay. 10:32:53

21 You got together with groups of 10:32:54

22 investigators to talk about 329, correct? 10:32:56

23 A To be precise, the answer is no. 10:33:04

24 Q Okay. 10:33:05

1                   Did you ever meet -- did you ever                   10:33:06

2                   meet with Neal Ryan to discuss 329?                   10:33:07

3           A        The answer's no.                   10:33:14

4           Q        It's going to be a long day, Doc.                   10:33:18

5           A        Well, but you need to sharpen your                   10:33:20

6                   questions; and if you want me to tell you                   10:33:22

7                   why, it's because I -- I did meet with Neal                   10:33:24

8                   Ryan and I did meet with other peers, but                   10:33:28

9                   when we met, we didn't have -- we didn't                   10:33:30

10                   have anything called Study 329.                   10:33:35

11                   We met to talk, as I explained                   10:33:37

12                   yesterday in detail, about the fact that we                   10:33:40

13                   thought it would be important to develop a                   10:33:45

14                   research program to study the efficacy of                   10:33:47

15                   treating adolescents with antidepressants.                   10:33:50

16                   So what I'm trying to do in answering                   10:33:55

17                   you precisely is to disentangle the                   10:33:57

18                   evolution and the development of this from                   10:34:01

19                   something which has become -- became                   10:34:04

20                   codified at some point in time far after I                   10:34:07

21                   was -- you know, I have no idea when it                   10:34:11

22                   became codified as a 329.                   10:34:13

23                   The implication -- the linkage there                   10:34:16

24                   being the 329 was a number given by                   10:34:19

1 SmithKlein Beecham to a study, you know, 10:34:25

2 that had been evolved. 10:34:29

3 I'm trying to disentangle it to make 10:34:31

4 it clear. 10:34:35

5 Q Okay. 10:34:36

6 A I'm not trying to be difficult. 10:34:36

7 Q Well, let's clarify this, because a lot of 10:34:37

8 my questions I'll ask you about Study 329. 10:34:39

9 Do you know what I'm referring to 10:34:42

10 when I say Study 329? 10:34:43

11 A Yes. 10:34:45

12 Q Okay. 10:34:45

13 And in your mind, is Study 329 the 10:34:46

14 same as the study that you met with 10:34:50

15 investigators about regarding the use of 10:34:53

16 paroxetine, Paxil, in children and 10:34:56

17 adolescents? 10:34:59

18 MR. DAVIS: Object to the form. 10:35:02

19 A At -- for a very substantial duration of 10:35:05

20 time of at least a year, colleagues and I 10:35:17

21 met to discuss research on the treatment of 10:35:20

22 depression in children and adolescents. 10:35:25

23 That resulted in us writing a 10:35:29

24 protocol, a copy of which I believe is one 10:35:30



1 of the exhibits. 10:35:32

2 Q Yes. 10:35:35

3 A And that protocol didn't have any 10:35:36

4 SmithKline, you know, letters or numbers or 10:35:37

5 anything on it. Okay. 10:35:41

6 At some point after the group made a 10:35:43

7 decision to develop -- to have a working 10:35:47

8 relationship with SmithKline with regard to 10:35:53

9 the funding and conduct of this grant, it 10:35:55

10 then shifted, in my mind, to, you know -- 10:35:58

11 I see a -- I sort of -- I see a shift 10:36:03

12 in that process, and then there was -- then 10:36:06

13 it's what I would call 329. 10:36:09

14 So if you want to -- just for clarity 10:36:13

15 of thought, I just -- I would just make that 10:36:18

16 distinction. 10:36:19

17 Q That's understandable. 10:36:20

18 A However you want to put that. 10:36:21

19 Q I hear your distinction. 10:36:23

20 What I'm asking is, for the purposes 10:36:26

21 of my questioning today, can we agree that 10:36:28

22 when I refer to 329 and I refer to meetings 10:36:30

23 involving 329, that I'm referring to any 10:36:33

24 time that you met with investigators and/or 10:36:37

1 individuals from GSK to discuss the study 10:36:42

2 that resulted in the publication of your 10:36:46

3 article on the use of paroxetine in 10:36:50

4 children? 10:36:52

5 A Okay. 10:36:54

6 Q I'm just trying to use -- 10:36:55

7 A Okay. 10:36:57

8 Q That's exactly what you did yesterday. I'm 10:36:57

9 not trying to -- all I'm asking is for some 10:36:59

10 clarity. It's unbelievable. 10:37:01

11 All I want to do is make sure that 10:37:03

12 you and I understand what 329 is when I ask 10:37:04

13 you did you meet with Neal Ryan to discuss 10:37:08

14 329. 10:37:11

15 Do you understand what I'm asking you 10:37:13

16 there? 10:37:14

17 A Yes. I'm just trying to make a -- draw a 10:37:16

18 firewall and a distinction between when it 10:37:19

19 was colleagues brainstorming the broadest 10:37:22

20 range of ideas until something formed into 10:37:26

21 an idea. 10:37:29

22 And the reason that's important is 10:37:30

23 because at various points along the way, we 10:37:32

24 had all sorts of other study designs. 10:37:35

1                   So, you know, if you -- if you had a                   10:37:39

2                   tape recorder at those meetings, Chris, all                   10:37:43

3                   the meetings we talked about, some of the                   10:37:45

4                   designs we were going to do looked radically                   10:37:49

5                   different. Didn't look anything like what                   10:37:52

6                   is now 329.                   10:37:54

7                   So I just want you to appreciate and                   10:37:56

8                   understand that. It wasn't as though -- it                   10:37:58

9                   wasn't as though we had this design that you                   10:38:04

10                  call 329 and that's what we were talking                   10:38:06

11                  about.                   10:38:08

12                  Eventually something evolved into                   10:38:09

13                  that. There were many other ideas on the                   10:38:10

14                  table.                   10:38:12

15                  Is that clear?                   10:38:15

16                  Q    I understand your distinction.                   10:38:20

17                  A    So it would be fair -- what I'm saying, it                   10:38:23

18                  would be fair to say that we didn't                   10:38:25

19                  necessarily -- when we -- when we had for                   10:38:26

20                  many -- for quite a number of the meetings                   10:38:28

21                  that we had, we weren't necessarily                   10:38:30

22                  discussing anything which looked at all like                   10:38:34

23                  the design of 329. That's all.                   10:38:37

24                  Q    Okay.                   10:38:38

1	Which exhibit is the initial protocol	10:38:39
2	that you submitted for -- let me ask you	10:38:44
3	this:	10:38:47
4	You submitted a protocol or a plan	10:38:48
5	for a study to GSK, correct?	10:38:51
6	A Yes.	10:38:56
7	Q Okay.	10:38:57
8	And we admitted that as an exhibit in	10:38:57
9	your deposition yesterday, correct?	10:39:00
10	A Yes.	10:39:02
11	Q We marked it, I should say, as an exhibit.	10:39:02
12	And I believe it's Exhibit 10. Here	10:39:05
13	it is. Okay. Take a look at Exhibit 10.	10:39:25
14	Do you recognize that document?	10:39:27
15	(Witness read document.)	10:39:31
16	A I recognize it, yes.	10:39:42
17	Q Okay.	10:39:44
18	And was that document prepared after	10:39:46
19	you met with multiple of your peers about a	10:39:51
20	study to submit to GSK?	10:39:59
21	A Yes.	10:40:10
22	Q Okay.	10:40:11
23	And eventually that study was named	10:40:11
24	329 by GSK, correct?	10:40:15

1 A Yes. 10:40:17

2 Q Okay. 10:40:18

3 And you had meetings with 10:40:20

4 investigators, your peers, to discuss this 10:40:21

5 study that's described in Exhibit 10? 10:40:27

6 You had meetings with your peers, 10:40:33

7 investigators, about that, correct? 10:40:35

8 A Yes. 10:40:37

9 Q Okay. 10:40:37

10 And you had meetings with your peers 10:40:38

11 and investigators and with representatives 10:40:40

12 from GlaxoSmithKline after you submitted 10:40:41

13 that to GlaxoSmithKline, correct? 10:40:45

14 A I don't -- I don't recall if we had meetings 10:40:53

15 with peers and representatives of SmithKline 10:41:00

16 prior to the meeting that was -- that took 10:41:14

17 place after the study was finished, after -- 10:41:18

18 after 329 was completed, so... 10:41:23

19 Q You don't recall meeting with Jim McCafferty 10:41:27

20 and the other investigators on the study at 10:41:30

21 any time between the time you submitted 10:41:35

22 Exhibit 10 to GSK and the time that the -- 10:41:37

23 that the results were revealed? 10:41:40

24 Is that what you're saying? 10:41:41

1 A I recall meeting with the other 10:41:44

2 investigators. I do not recall whether Jim 10:41:46

3 McCafferty attended any meetings with myself 10:41:50

4 and the investigators to discuss 329 prior 10:41:56

5 to the meeting that was held after 329 was 10:42:01

6 completed that was discussed yesterday and 10:42:07

7 memorialized in one of the exhibits that's 10:42:10

8 marked and could probably be found on this 10:42:13

9 table. 10:42:18

10 Q What about telephone conferences, do you 10:42:20

11 recall having telephone conferences that 10:42:23

12 addressed the issues in the child and 10:42:25

13 adolescent study that -- that we're 10:42:30

14 referring to in this case? 10:42:33

15 MR. DAVIS: Just for reference, the 10:42:35

16 adolescent study didn't involve any 10:42:37

17 children, but -- so I object to the form. 10:42:38

18 A I would make that correction. There was no 10:42:41

19 children -- no children involved, only 10:42:44

20 adolescents. 10:42:45

21 But the answer is -- so the answer is 10:42:46

22 no to the question you asked. 10:42:49

23 Q Okay. 10:42:52

24 A If -- 10:42:53

1 Q Can you and I agree that when we talk about 10:42:54  
2 the -- 10:42:56  
3 The study that you published an 10:42:59  
4 article on, that was -- ultimately was 329 10:43:01  
5 at one point, correct? 10:43:07  
6 A Yes. 10:43:08  
7 Q Can we agree to when I ask you about 10:43:09  
8 conversations regarding Study 329 that we're 10:43:12  
9 talking about the meetings including prior 10:43:14  
10 to your submission of the protocol to GSK, 10:43:17  
11 meetings that occurred -- strike that. 10:43:23  
12 In your meetings regarding Study 329, 10:43:30  
13 do you recall -- and whether it was before 10:43:34  
14 you submitted Exhibit 10 or after, do you 10:43:37  
15 recall discussing the use of SSRIs for 10:43:41  
16 treatment of psychiatric illness in children 10:43:47  
17 and adolescents with your -- with your 10:43:49  
18 coinvestigators? 10:43:51  
19 MR. DAVIS: Object to the form. 10:43:54  
20 A In the spirit of moving this along and being 10:44:01  
21 helpful, I think it -- it's important that 10:44:04  
22 don't include children, that you just say 10:44:07  
23 adolescents, because no children were 10:44:10  
24 included in the design and the 10:44:14

1 implementation of this study and for a lot 10:44:16

2 of reasons. There are differences between 10:44:21

3 children and adolescents. 10:44:23

4 So if you would restate it and 10:44:25

5 restrict it to adolescents, then it would be 10:44:26

6 easier for me to answer. 10:44:30

7 Q Okay. Well, let's just clear that up. 10:44:31

8 What was the age group of the 10:44:33

9 individuals included in the study? 10:44:34

10 A I think it was 13. I'm not exactly sure 10:44:38

11 whether we did -- how we staged it, but I 10:44:43

12 believe 13. 10:44:45

13 Q How do you define the difference between a 10:44:46

14 child and an adolescent? 10:44:48

15 A It's -- it's a distinction that is not 10:44:50

16 codified and universally accepted, you know, 10:44:55

17 with criteria that everybody would agree to. 10:44:58

18 As a convention, it's typically 10:45:03

19 approached in one of two ways: One is to 10:45:04

20 just pick an age, typically 13, and the 10:45:07

21 other is to do pubertal staging. And 10:45:10

22 it's -- if you -- 10:45:15

23 So I find either acceptable, either 10:45:21

24 picking an age, such as 13, to make that 10:45:29







1 Q Okay. 10:48:04

2 A I do have a -- a clarification here. 10:48:04

3 When we talk about the preparation of 10:48:06

4 Exhibit 10, what I just noticed was that at 10:48:08

5 the bottom of Exhibit 10, there's all sorts 10:48:13

6 of -- there's this whole thing about 10:48:17

7 confidential, subject to protective order, 10:48:20

8 produced by GSK, so on and so forth. 10:48:24

9 We didn't -- I didn't prepare 10:48:27

10 anything that had that on it. 10:48:28

11 Q Right. 10:48:29

12 That's -- that's something that 10:48:30

13 GlaxoSmithKline stamps for the 10:48:31

14 confidentiality of the documents in this 10:48:34

15 case. 10:48:36

16 A Okay. I'm just trying to be precise. 10:48:36

17 Q I got you. I -- I appreciate that. 10:48:38

18 Going back to my questions about your 10:48:41

19 awareness of prescriptions to children and 10:48:43

20 adolescents, were you aware that at the time 10:48:48

21 you -- you prepared this with your 10:48:55

22 colleagues, were you aware that adolescents 10:48:57

23 were being prescribed Paxil for the 10:48:59

24 treatment of depression? 10:49:03

1 A I don't recall, because -- I'm going to 10:49:13  
2 answer it. I'm not just going to say I 10:49:19  
3 don't recall and then waste your time by 10:49:21  
4 having to fumble in giving an answer. 10:49:23  
5 The reason I don't recall is because 10:49:27  
6 when I look at 1992 here and we started 10:49:29  
7 meeting earlier than that, this may have 10:49:33  
8 preceded when Paxil was approved by the FDA 10:49:35  
9 as an antidepressant. 10:49:39  
10 So I don't -- and I -- I have some -- 10:49:41  
11 I have a vague memory that in the 10:49:45  
12 discussions we had about whether or not -- 10:49:48  
13 about which medications to use in the study, 10:49:50  
14 there was an issue of which medications were 10:49:55  
15 approved, at what time and not at what time. 10:49:57  
16 So it's im -- it's possible that the 10:50:01  
17 FDA -- I just don't -- I don't remember 10:50:06  
18 that, when that date occurred. 10:50:08  
19 Q Okay. 10:50:10  
20 A So if, in fact, you know, whatever -- 10:50:10  
21 whatever discussions took place regarding 10:50:14  
22 this, if that preceded the FDA's approving 10:50:17  
23 the use of paroxetine as a treatment for 10:50:20  
24 depression in adults, if it preceded that, 10:50:24

1	then I would have no reason to think that	10:50:28
2	the drug was being prescribed for	10:50:32
3	adolescents or children or adults, for that	10:50:36
4	matter, other than for investigational	10:50:39
5	purposes.	10:50:41
6	Q Okay.	10:50:42
7	In light of your answer there, at	10:50:45
8	what point do you recall gaining an	10:50:47
9	awareness that Paxil was being prescribed to	10:50:55
10	children and adolescents?	10:50:59
11	A I don't remember.	10:51:00
12	Q Well, certainly you're aware of that today,	10:51:02
13	correct?	10:51:03
14	A Yes.	10:51:06
15	Q Okay.	10:51:07
16	And do you know whether you knew it	10:51:07
17	prior to GSK accepting for submission	10:51:14
18	Exhibit 10?	10:51:21
19	A I don't remember.	10:51:22
20	Q Okay.	10:51:26
21	A Because the -- the distinction -- at some	10:51:26
22	point it occurred. It just -- it just looks	10:51:29
23	to me --	10:51:32
24	1992 looks to me in a very vague	10:51:34

1 memory somewhere around the time that 10:51:37

2 paroxetine was approved; but I also have a 10:51:42

3 memory that it may have been approved after. 10:51:46

4 I just simply don't remember. 10:51:49

5 Q Right. 10:51:51

6 A It's in that ballpark. 10:51:51

7 Q Right. 10:51:53

8 A And so if it had been approved in 1988, I 10:51:54

9 would remember. If it was -- wasn't 10:51:57

10 approved until 2000, I would remember. This 10:51:58

11 was -- 10:52:01

12 Q I'll represent it was approved at the very 10:52:01

13 end of 1992, end of December 1992. 10:52:03

14 All right? 10:52:06

15 A After this. 10:52:07

16 Q That's correct. 10:52:08

17 So -- but I'm -- and that's fine. 10:52:09

18 I'm trying to get clear -- 10:52:11

19 So after Paxil was approved by the 10:52:14

20 Food and Drug Administration for use in 10:52:19

21 adults, did you then become aware that it 10:52:20

22 was also being used for treatment of 10:52:26

23 depression in children and adolescents? 10:52:30

24 A At some time, yes, is the answer. 10:52:34

1 Q Okay. 10:52:38

2 And do you know when that was in 10:52:38

3 relation to its approval in -- at the end of 10:52:39

4 1992? 10:52:42

5 A When it was that I became aware? 10:52:43

6 Q Correct. 10:52:45

7 A No. 10:52:46

8 Q Okay. 10:52:46

9 Do you recall discussing the -- the 10:53:04

10 issue of Paxil being used in the treatment 10:53:09

11 of adolescent depression with the other 10:53:12

12 investigators that you were working on 10:53:17

13 Exhibit 10 with, either prior to or after 10:53:20

14 submission to GSK? 10:53:23

15 A Could you -- 10:53:27

16 Q Sure. 10:53:28

17 A -- clarify? 10:53:29

18 Q Do you ever -- do you ever -- do you ever 10:53:31

19 recall discussing with the other 10:53:33

20 investigators the trends in prescriptions of 10:53:34

21 Paxil to children and adolescents? 10:53:40

22 A No. 10:53:42

23 Q Okay. 10:53:43

24 What's your understanding of -- let 10:53:51

1 me ask you this: 10:53:55

2 What's your understanding of the 10:53:56

3 current state of prescriptions that are 10:54:01

4 provided to child -- children and 10:54:05

5 adolescents for the treatment of -- excuse 10:54:07

6 me. 10:54:11

7 What's your current understanding of 10:54:12

8 the prescriptions for Paxil that are 10:54:14

9 provided to children and adolescents for the 10:54:15

10 treatment of depression? 10:54:19

11 A I don't understand your question. 10:54:24

12 Q Do you know today that -- you said you have 10:54:27

13 a -- you at some time gained knowledge that 10:54:29

14 Paxil was being prescribed to children and 10:54:32

15 adolescents, correct? 10:54:34

16 A Correct. 10:54:36

17 Q Okay. 10:54:36

18 And you don't know when that was? 10:54:36

19 A Correct. 10:54:38

20 Q Was it more than ten years ago that you 10:54:38

21 gained that knowledge? 10:54:40

22 A I can't recall specifically. I think it's 10:55:00

23 likely that it was. 10:55:02

24 Q Okay. 10:55:03



1                   Your -- your article that -- that                   10:55:04

2                   appeared in the Journal of the American                   10:55:07

3                   Academy of Child and Adolescent Psychiatry                   10:55:11

4                   was published in July of 2001, correct?                   10:55:14

5                   Do you want to see the exhibit?                   10:55:20

6           A       Yes.                   10:55:25

7           Q       Okay.                   10:55:26

8                   Prior to the publication of this                   10:55:26

9                   article that's marked as which exhibit?                   10:55:28

10                  MR. GREEN: 13.                   10:55:33

11           A       13.                   10:55:33

12           Q       That's marked as Exhibit 13, did you have                   10:55:34

13                   knowledge that Paxil was being prescribed                   10:55:36

14                   for the treatment of depression in children                   10:55:39

15                   and adolescents?                   10:55:40

16           A       I can't recall specifically now. I assume                   10:55:46

17                   so, but I just can't recall.                   10:55:50

18           Q       And you can't recall any discussions about                   10:55:53

19                   whether or not Paxil was being prescribed to                   10:55:58

20                   children and adolescents for the treatment                   10:56:02

21                   of depression prior to the publication of                   10:56:04

22                   this article; is that correct?                   10:56:06

23           A       I don't recall, which doesn't mean I didn't                   10:56:09

24                   have a conversation, doesn't mean I did.                   10:56:11

1	It means I don't remember such	10:56:14
2	conversations.	10:56:18
3	Q      Okay.	10:56:29
4	Yesterday Mr. Murgatroyd asked you	10:56:44
5	about the analysis of the data that was	10:56:47
6	obtained from Study 329; do you recall that?	10:56:52
7	A      Yes.	10:56:55
8	Q      Do you know what I'm referring to when I say	10:56:56
9	"the data obtained from Study 329"?	10:56:57
10	A      Yes.	10:57:01
11	Q      Okay.	10:57:01
12	And you -- you testified that the	10:57:05
13	variables -- that you believe that the	10:57:09
14	variables used in Study 329 to analyze the	10:57:12
15	data were decided prior to the breaking of	10:57:21
16	the blind, correct?	10:57:24
17	A      Yes.	10:57:25
18	Q      Okay.	10:57:26
19	Do you know when the data was	10:57:27
20	analyzed?	10:57:28
21	A      Actually, if I could -- can I --	10:57:29
22	Q      Sure.	10:57:31
23	A      -- qualify that statement?	10:57:31
24	Q      Sure.	10:57:34

1 A Any variable which was stated to be an a 10:57:41  
2 priori variable in any writeups that we had, 10:57:44  
3 any such designation, meant that the 10:57:49  
4 variables were identified prior to doing 10:57:51  
5 the -- the breaking of the blind. 10:57:54  
6 It's possible, though I can't tell 10:57:59  
7 you whether, in fact, happened -- or if it 10:58:02  
8 happened which variables, it's possible that 10:58:05  
9 certain variables which were not part of the 10:58:07  
10 data analytic plan and not subsequently 10:58:09  
11 labeled as, you know, a priori, were -- 10:58:14  
12 someone decided to analyze these after the 10:58:19  
13 blind -- 10:58:22  
14 Q Okay. 10:58:22  
15 A -- was broken. 10:58:23  
16 These things happen in what's called 10:58:24  
17 exploratory analyses in all sorts of 10:58:26  
18 research. 10:58:29  
19 Q Okay. 10:58:29  
20 Do you recall any specific variables 10:58:30  
21 that you or any of the other investigators 10:58:33  
22 decided on after the data had been initially 10:58:38  
23 obtained and the blind was broken? 10:58:42  
24 A No. It -- no, to that question. 10:58:43

1 Q Okay. 10:58:47

2 How about the CGI of 1 or 2, do you 10:58:49

3 remember if that was decided before or after 10:58:53

4 the blind was broken? 10:58:57

5 MR. DAVIS: Objection. 10:59:00

6 Asked and answered. 10:59:00

7 A That was decided before the blind was 10:59:01

8 broken. 10:59:03

9 Q Okay. 10:59:03

10 And how about the K-SADS nine-item 10:59:03

11 depression scale, do you know whether that 10:59:07

12 was determined prior to or after the blind 10:59:09

13 was broken? 10:59:12

14 MR. DAVIS: Objection. 10:59:13

15 Asked and answered. 10:59:15

16 A Yes. 10:59:15

17 Q And when was it? 10:59:16

18 A Before the blind was broken. 10:59:17

19 Q And you don't recall any that were decided 10:59:20

20 after the blind was broken? 10:59:22

21 A No. 10:59:23

22 What I do know, though I cannot be 10:59:24

23 specific, is that at some point in the past 10:59:26

24 several years when the FDA asked for -- set 10:59:29

1 up a process whereby there was a reanalysis 10:59:38

2 of data from most, if not all, pediatric 10:59:41

3 studies, you know, a reanalyses occurred. 10:59:44

4 I was not part of that process per 10:59:49

5 se, but I have some general awareness that 10:59:50

6 analyses were done with all the datasets. 10:59:56

7 I'm assuming that also occurred with 11:00:00

8 329, but I don't know the specifics. 11:00:03

9 Q Do you know when the initial analyses of the 11:00:06

10 data obtained from Study 329 was conducted? 11:00:12

11 A No. 11:00:16

12 Q Were you involved in the initial analyses of 11:00:18

13 the data from Study 329? 11:00:21

14 A I don't know what you mean by involved in 11:00:25

15 the analyses of the data. 11:00:26

16 Q Did you ever review the data that was 11:00:32

17 obtained from Study 329 at all? 11:00:34

18 A Yes. 11:00:40

19 Q Okay. 11:00:40

20 And at what point did you first 11:00:41

21 review that information? 11:00:42

22 A I don't remember. But I was also -- 11:00:44

23 Q And what -- 11:00:46

24 A I was also involved in thinking and 11:00:48

1           discussing what the data analytic plan would           11:00:51  
2           be and the process -- and, you know, how the           11:00:54  
3           data would be analyzed.           11:00:58  
4                    And there's a distinction between           11:01:01  
5           that and my actually analyzing the data.           11:01:03  
6           The data analyst would do that, you know,           11:01:07  
7           programmer, someone like that.           11:01:11  
8                    So -- so that you understand the           11:01:15  
9           distinction, it's one thing to conceptualize           11:01:16  
10          what analyses one will do. It's another           11:01:19  
11          thing to actually write the program and the           11:01:22  
12          code that you would have for a computer to           11:01:27  
13          actually perform the analysis.           11:01:29  
14                    I'm not a code writer. I'm a           11:01:31  
15          conceptualizer.           11:01:34  
16          Q    Did you contribute to decisions about which           11:01:37  
17          variables would be used to test the data           11:01:40  
18          and -- that was obtained from Study 329?           11:01:46  
19          A    Yes.           11:01:49  
20          Q    Okay.           11:01:50  
21                    You're aware that Mr. Jim McCafferty           11:01:52  
22          was deposited in -- in these cases?           11:01:54  
23          A    It may have been mentioned yesterday. His           11:02:09  
24          name was mentioned yesterday in relation to           11:02:11

1 a deposition. 11:02:13

2 Q Okay. 11:02:14

3 Well -- 11:02:14

4 A Which is the first time I knew that. 11:02:15

5 Q Okay. 11:02:18

6 He was deposed in these cases. And 11:02:19

7 in Mr. McCafferty's deposition, one of the 11:02:22

8 things he mentioned multiple times was that 11:02:25

9 there were multiple discussions amongst 11:02:30

10 himself and the investigators, including 11:02:31

11 you, with regard to which endpoints to use, 11:02:37

12 which variables to use for the analysis of 11:02:42

13 the data obtained in 329. 11:02:44

14 Do you recall that there were 11:02:47

15 multiple discussions regarding which 11:02:48

16 variables to use to analyze the data? 11:02:51

17 A I don't have specific recall of those 11:03:04

18 conversations; however, as I mentioned 11:03:06

19 earlier in describing the process, I assume 11:03:11

20 that we had many conversations about how 11:03:17

21 to -- you know, how to plan the analyses and 11:03:23

22 how to do them. 11:03:27

23 It's just that I can't remember 11:03:28

24 the -- any of the actual conversations. 11:03:29

1           We -- we wrote the plan, we wrote the grant,           11:03:32

2           and, indeed -- so --           11:03:36

3                    But, again, you understand the           11:03:39

4           distinction I'm making.           11:03:40

5   Q    Is there anything that sticks out in your           11:03:42

6           mind with regard to the decisions made by           11:03:43

7           you and the other investigators to           11:03:49

8           include -- of which variables to include?           11:03:51

9                    Is there anything that sticks out in           11:03:58

10          your mind about your conversations about           11:03:59

11          those variables?           11:04:01

12   A    Only that we always tried to do the right           11:04:02

13          thing, to do it properly, to figure out           11:04:05

14          what's the -- what's the proper way to           11:04:08

15          analyze the data to achieve the goal of           11:04:10

16          testing the hypotheses and aims of the           11:04:15

17          study.           11:04:19

18                    That's the abiding, you know, ethos           11:04:19

19          that drives our decision-making, and -- and           11:04:24

20          that's -- it's -- it's often not easy.           11:04:28

21                    It's often complicated to figure out           11:04:32

22          what's the most parsimonious, efficient and           11:04:35

23          best way to analyze it.           11:04:38

24   Q    Okay.           11:04:41



1 A So, you know, with those parameters in mind, 11:04:41  
2 those are the parameters that we always bat 11:04:46  
3 around. 11:04:50  
4 What's the best way to do it? What's 11:04:51  
5 the best way to get there? What's the 11:04:53  
6 proper way to do it? 11:04:55  
7 Other than that, the guiding 11:04:56  
8 principles, I can't recall the specifics of 11:04:57  
9 any of the discussions. 11:04:59  
10 The goal is to complete the 11:05:08  
11 science -- complete the scientific project 11:05:09  
12 using the integrity of the scientific 11:05:14  
13 design. 11:05:16  
14 Q Right. 11:05:16  
15 And you mentioned that you had wanted 11:05:17  
16 to test the hypothesis to determine whether 11:05:18  
17 or not it was successful, correct? 11:05:21  
18 A Yes. 11:05:25  
19 Q And what was the hypothesis with regard to 11:05:26  
20 Study 329? 11:05:29  
21 A If you let me -- 11:05:32  
22 (Witness read document.) 11:05:33  
23 A There were four hypotheses listed in the 11:05:51  
24 agreement. If you would like, I can read 11:05:55

1 those to you. 11:06:00

2 Q Sure. 11:06:01

3 A Number one, we hypothesized the following: 11:06:02

4 Number one: Paroxetine will be 11:06:06

5 significantly superior to placebo at the end 11:06:08

6 of the eight-week treatment trial. 11:06:11

7 Number two: IMI, capital I-M-I, 11:06:13

8 which is an abbreviation for imipramine, 11:06:19

9 those are my -- that's -- IMI will be 11:06:24

10 significantly superior to placebo at the end 11:06:29

11 of the eight-week treatment trial. 11:06:30

12 Number three: There will be fewer 11:06:32

13 dropouts and adverse events among patients 11:06:34

14 on paroxetine compared to patients on 11:06:37

15 imipramine. 11:06:40

16 Number four: Responders to the 11:06:41

17 eight-week experimental phase who are 11:06:43

18 maintained on their study treatment for six 11:06:47

19 months will experience significantly fewer 11:06:49

20 MDD, which is an abbreviation for Major 11:06:52

21 Depressive Disorder, relapses on IMI, I-M-I, 11:06:57

22 and paroxetine than on placebo. 11:07:02

23 There are also two secondary aims. 11:07:08

24 would you care for those to be read? 11:07:12

1 Q No, that's okay. 11:07:14

2 The first one you read there, first 11:07:18

3 hypothesis, was that paroxetine, Paxil, 11:07:19

4 would be shown to be significantly superior 11:07:23

5 to placebo with regard to effectiveness, 11:07:27

6 correct? 11:07:33

7 A It says -- what was said was, Paroxetine 11:07:34

8 will be significantly superior to placebo at 11:07:36

9 the end of the eight-week treatment trial. 11:07:38

10 Q Okay. 11:07:41

11 A We didn't have the phrase "with regard to 11:07:41

12 effectiveness" in there. 11:07:44

13 Q Okay. 11:07:45

14 That -- that particular hypothesis 11:07:49

15 failed, correct? 11:07:51

16 MR. DAVIS: Object to the form. 11:07:52

17 A No. 11:07:54

18 Q It didn't? Well, let me ask you this: 11:07:54

19 Was paroxetine -- was Paxil shown to 11:07:58

20 be statistically superior to placebo on 11:08:00

21 either of the primary endpoints? 11:08:07

22 Do you know? 11:08:12

23 A No. 11:08:15

24 It was significantly superior to 11:08:15

1 placebo on the HAM-D total score of less 11:08:20  
2 than or equal to 8, the HAM-D depressed mood 11:08:23  
3 item, the Kiddie K-SADS-L depressed mood 11:08:26  
4 item and the CGI score of 1 or 2. 11:08:31  
5 And on the basis of those, variables 11:08:42  
6 being positive, the conclusion of the 11:08:45  
7 investigators, as well as the reviewers who 11:08:47  
8 reviewed the paper, as well as people who 11:08:51  
9 have seen it, all agreed that paroxetine was 11:08:53  
10 significantly superior than placebo at the 11:08:58  
11 end of Week 8. 11:09:02  
12 Q None of those variables that you read are 11:09:04  
13 primary endpoints, correct? 11:09:06  
14 A My -- none of the four that I just read are 11:09:15  
15 among the two primary endpoints listed in 11:09:19  
16 the protocol, the exhibits that you showed 11:09:26  
17 me. 11:09:27  
18 Q Right. 11:09:28  
19 So the question was, neither of or 11:09:28  
20 none of the endpoints that you just listed 11:09:32  
21 were primary endpoints in Study 329, 11:09:35  
22 correct? 11:09:41  
23 A They weren't primary endpoints listed in the 11:09:43  
24 protocol, but they were judged by the 11:09:45

1 investigators to be important endpoints in 11:09:50  
2 the determination of the subject's response 11:10:00  
3 to paroxetine. 11:10:05  
4 And I believe most experts 11:10:16  
5 knowledgeable would also agree that these 11:10:19  
6 are clinically and research-relevant 11:10:22  
7 endpoints to use in determining efficacy of 11:10:30  
8 treating depression. 11:10:33  
9 Q All right. 11:10:35  
10 Well, Mr. Murgatroyd will get into 11:10:35  
11 that a little bit later, and we'll see what 11:10:37  
12 the experts actually do think. 11:10:39  
13 Let me ask you to turn to -- let me 11:10:41  
14 ask you this first: 11:10:43  
15 Yesterday with regard to question 11:10:45  
16 about your expectations for Study 329, do 11:10:47  
17 you recall that you testified that you 11:10:55  
18 didn't have any expectations, you and the 11:10:57  
19 other investigators didn't have any 11:10:59  
20 expectations with regard to the outcome of 11:11:02  
21 329 when you began the study? 11:11:03  
22 Do you recall that? 11:11:05  
23 A I don't recall exactly what I said, so I'd 11:11:07  
24 appreciate having what I said about 11:11:10

1           expectations being read back to me so I can           11:11:12

2           make sense --           11:11:14

3       Q     How about this:           11:11:15

4                    Why don't you tell us, what were your           11:11:16

5           expectations when you began Study 329?           11:11:18

6                    What were your expectations of the           11:11:24

7           outcome?           11:11:28

8       A     Well, as I stated to you very shortly ago,           11:11:33

9           our expectations were the following           11:11:42

10          hypotheses:           11:11:45

11                    Number one: Paroxetine will be           11:11:48

12           significantly superior to placebo at the end           11:11:49

13           of the eight-week treatment trial.           11:11:50

14                    Number two: Imipramine will be           11:11:52

15           significantly superior to placebo at the end           11:11:58

16           of the eight-week treatment trial.           11:11:59

17                    Number three: There will be fewer           11:12:01

18           dropouts and adverse events among patients           11:12:04

19           on paroxetine compared to patients on           11:12:06

20           imipramine.           11:12:09

21                    Number four: Responders to the           11:12:10

22           eight-week experimental phase who are           11:12:12

23           maintained on the study treatment for six           11:12:14

24           months will experience significantly fewer           11:12:16

1 MDD relapses on imipramine and paroxetine 11:12:18

2 than on placebo. 11:12:22

3 Those were the expectations. 11:12:24

4 Q Okay. 11:12:37

5 Can you tell me, do you know what a 11:12:37

6 reprint is? 11:12:39

7 A Yes. 11:12:45

8 Q Okay. 11:12:45

9 What is a reprint? 11:12:45

10 A Well, my understanding of a reprint is when 11:12:49

11 a -- an article appears in a journal, and 11:12:56

12 I'm most familiar with scientific journals, 11:13:04

13 but I believe -- I assume this is the case 11:13:06

14 with the broad range of journals, the 11:13:08

15 journal makes available for a fee the 11:13:11

16 production or the -- the whatever, the 11:13:18

17 publisher of the journal makes available for 11:13:22

18 a fee copies of the article. 11:13:24

19 I'm trying to think of the right 11:13:33

20 word. Will produce for you a -- the article 11:13:34

21 without Xeroxing it, so it's some type of 11:13:43

22 freestanding independent copy that's been 11:13:48

23 printed -- that's been specifically printed 11:13:50

24 by the publisher. 11:13:53

1 Q Okay. 11:13:55

2 A And typically it's bound with a staple, and 11:13:55

3 that's what we generally refer to as 11:14:00

4 reprints. 11:14:03

5 That's my understanding. 11:14:03

6 Q Okay. 11:14:05

7 A From journals. 11:14:05

8 Q Okay. 11:14:06

9 And what -- do you know what -- 11:14:06

10 what's your understanding -- 11:14:09

11 A As opposed to this, which I wouldn't call a 11:14:11

12 reprint. I would say that someone took -- 11:14:13

13 made a Xerox copy of something. 11:14:17

14 Q Okay. 11:14:19

15 What is your understanding of the 11:14:20

16 purpose of reprints? 11:14:21

17 A Currently, I see -- I think there's minimal 11:14:35

18 to no purpose for reprints, because most 11:14:37

19 journals have mechanisms whereby things can 11:14:44

20 be obtained through the Internet. 11:14:46

21 In the good old days, back in the 11:14:50

22 early '90s when I was still in high school 11:14:53

23 and people didn't have that ability to 11:15:00

24 transmit, you know, manuscripts and 11:15:03



1 articles, the re -- what would happen -- it 11:15:07  
2 was a way of communicating information. 11:15:12  
3 Typically, the author -- first author 11:15:16  
4 of an article would be asked at the time an 11:15:18  
5 article was I guess accepted at some point 11:15:20  
6 or about to -- about to be published, they 11:15:25  
7 would -- you would get a form from the 11:15:27  
8 journal asking you if, and if so, how many 11:15:29  
9 reprints you would like, which you had to 11:15:34  
10 pay for. 11:15:36  
11 And I certainly did, and mostly all 11:15:37  
12 my peers did, to the extent that we could 11:15:40  
13 afford it. 11:15:42  
14 We would order a certain number of 11:15:42  
15 copies, and then what would usually happen 11:15:45  
16 is peers would send us a postcard or 11:15:47  
17 sometimes a letter asking if we would send 11:15:49  
18 them a reprint of our article. 11:15:52  
19 Or if I went to a scientific meeting, 11:15:55  
20 people would ask for reprints, because it's 11:15:57  
21 my understanding, though I don't -- I'm not 11:15:59  
22 a copyright attorney, that you're not 11:16:01  
23 allowed to -- you're not allowed to take a 11:16:03  
24 bound journal and make a Xerox of it 11:16:08

1                   So that -- I understood that was the                   11:16:10

2                   only legitimate way to give someone a                   11:16:13

3                   hardcopy of it.                   11:16:17

4       Q       Okay.                   11:16:19

5                   And your understanding, from what I                   11:16:20

6                   understand you've just said, is that the                   11:16:25

7                   purpose of a reprint was basically to                   11:16:26

8                   disseminate information that was contained                   11:16:28

9                   in the article, correct?                   11:16:30

10       A       Yes.                   11:16:33

11       Q       And did you do that when you had reprints                   11:16:34

12                   that were provided to you?                   11:16:36

13       A       Rarely. And after a while, I just stopped,                   11:16:42

14                   because it just -- it was expensive and                   11:16:44

15                   time-consuming and --                   11:16:51

16       Q       Expensive? In what sense?                   11:16:53

17       A       As the first author, I had to buy the                   11:16:56

18                   reprints myself. The journal sold me the                   11:16:58

19                   reprints, so I had to spend money to do it                   11:17:04

20                   and with -- so that was expensive.                   11:17:10

21                   And if someone sent me a postcard and                   11:17:14

22                   asked me for it, I had to mail them a copy                   11:17:18

23                   of it, and that was both costly for the                   11:17:21

24                   stamp and time-consuming.                   11:17:26

1                   So after a while, I stopped and at                   11:17:28

2                   some point stopped ordering reprints and                   11:17:32

3                   just said I don't have reprints. Here's the                   11:17:35

4                   reference. Read the article.                   11:17:38

5    Q            So what -- tell me this:                   11:17:40

6                   What was the -- when you did in your                   11:17:43

7                   past -- when you did receive reprints, what                   11:17:46

8                   was the usual ballpark figure, number of                   11:17:51

9                   reprints you would -- you would request?                   11:17:55

10   A           Well, it -- it varied enormously, and I                   11:17:59

11                  can't remember the exact amount.                   11:18:08

12                  The principle when I was first                   11:18:09

13                  starting out as a researcher and I was                   11:18:11

14                  extremely excited, thrilled and proud that                   11:18:13

15                  one of my papers was in a journal, I assumed                   11:18:16

16                  that thousands of people would ask me for                   11:18:18

17                  copies.                   11:18:21

18                  And if it was -- and if I thought it                   11:18:22

19                  was a really seminal article, I might order                   11:18:25

20                  couple of hundred. I think at one point I                   11:18:28

21                  might have even ordered a thousand.                   11:18:31

22                  After I noticed that the requests                   11:18:32

23                  were far fewer, I started ordering the                   11:18:36

24                  minimal amount, and my thinking was at least                   11:18:39

1 we'd have some copies that we could keep on 11:18:44

2 file locally for -- in memoriam. 11:18:46

3 But the process of -- the process 11:18:49

4 that I described to us of people 11:18:51

5 requesting -- requesting them was for me, 11:18:52

6 even with my finest of research, was always 11:18:57

7 a -- was always very minimal. 11:19:01

8 So I just kind of stopped, you know? 11:19:03

9 Q Okay. 11:19:05

10 Let me -- do you -- 11:19:09

11 A But I cannot remember the last time I 11:19:10

12 requested -- I ordered reprints, and I 11:19:12

13 frankly don't remember -- I don't. I don't 11:19:15

14 actually recall. 11:19:19

15 I don't know the extent the journals 11:19:21

16 still send that offer to you anymore, so... 11:19:23

17 Q Okay. 11:19:37

18 A But we also didn't have BlackBerrys then. 11:19:37

19 Q Do you recall requesting any reprints of 11:19:40

20 your article -- 11:19:43

21 A No. 11:19:43

22 Q -- that was published in July of 2001 -- 11:19:43

23 A No. 11:19:46

24 Q -- regarding -- 11:19:46

1	A	No.	11:19:49
2	Q	-- Study 329?	11:19:49
3	A	Sorry. No.	11:19:51
4	Q	Okay.	11:19:52
5		(Exhibit No. 26 marked for	11:19:52
6		identification.)	11:19:52
7	BY MR. COFFIN:		11:19:52
8	Q	Let me show you what's been marked as	11:19:52
9		Exhibit 26.	11:19:54
10		MR. DAVIS: Can I see that?	11:19:55
11		(Counsel read document.)	11:19:56
12		MR. COFFIN: And, Todd, while you're	11:20:08
13		at it, can you dedesignate the	11:20:09
14		confidentiality --	11:20:11
15		MR. DAVIS: Yes, I can.	11:20:12
16		This is not subject -- Exhibit 26 is	11:20:13
17		not subject to the protective order.	11:20:14
18	BY MR. COFFIN:		11:20:16
19	Q	Can you just take a look at that document?	11:20:16
20		(Witness read document.)	11:20:18
21	Q	Actually, it's a series of emails, so the	11:20:19
22		first one starts at the end, but read it how	11:20:22
23		you -- how you like.	11:20:25
24		(Witness read document.)	11:20:26

1 Q Okay. 11:21:17

2 Can you identify that document? 11:21:17

3 A I'm not sure -- 11:21:24

4 Q What is the document? 11:21:25

5 A It's a series of, I guess, emails between 11:21:27

6 individuals talking about the request that I 11:21:34

7 am said to have made, it doesn't specify 11:21:42

8 whether it was verbal or in writing, to 11:21:47

9 have -- 11:21:51

10 (Witness read document.) 11:22:00

11 A I'm trying to see here. 11:22:00

12 Ask if -- it says, Dr. Keller was 11:22:07

13 wondering if GSK will fund the purchase of 11:22:11

14 these -- of reprints of 329. 11:22:15

15 Q Okay. 11:22:18

16 And what's the date of that email? 11:22:19

17 A Well, there are many dates. One is -- one 11:22:24

18 date is 4/27/2001. One date is 4/25/2001. 11:22:28

19 I guess there are two dates. 11:22:35

20 Q Okay. 11:22:38

21 Does that appear to be a true and 11:22:42

22 correct copy of the email? 11:22:44

23 A I have no idea. 11:22:46

24 Q Do you have any reason to doubt that it's 11:22:46

1 not what it purports to be? 11:22:48

2 MR. DAVIS: Objection. 11:22:54

3 I don't think this witness can 11:22:54

4 authenticate another document that's not 11:22:56

5 involved in. 11:22:58

6 A I'm not a documentation -- I'm not a 11:22:58

7 document authenticator, so I have no reason 11:23:01

8 to either believe it or not believe it. 11:23:04

9 I have no idea. 11:23:06

10 Q Okay. 11:23:06

11 Can you turn to -- actually, it's the 11:23:13

12 bottom of the first page and the concluding 11:23:15

13 on the second page, does that appear to be 11:23:17

14 an email from Sally Laden? 11:23:20

15 A Yes. 11:23:32

16 Q Okay. 11:23:33

17 And can you please read that middle 11:23:33

18 paragraph there that Ms. Laden writes that's 11:23:36

19 referring to you specifically so we don't 11:23:41

20 get confused on which paragraph? 11:23:45

21 A Well, there are six paragraphs. 11:23:48

22 Q Okay. 11:23:49

23 Do you see any referring to you? 11:23:50

24 A I do. 11:23:51

1 Q Okay. 11:23:52

2 Could you read the one that refers to 11:23:52

3 you, please? 11:23:54

4 A "Marty Keller is a corresponding author and 11:23:55

5 will need a supply of reprints. I 11:23:58

6 anticipate that he will need a sizable 11:24:00

7 quantity because of the importance of this 11:24:02

8 paper. Probably in the vicinity of 500 11:24:04

9 reprints. Dr. Keller is wondering if GSK 11:24:06

10 will fund the purchase of these reprints." 11:24:09

11 Q Okay. 11:24:11

12 Do you recall asking either Sally 11:24:12

13 Laden or someone at GSK whether they'd fund 11:24:16

14 the purchase of reprints of your article? 11:24:20

15 A I don't recall asking them, which isn't to 11:24:26

16 say that I didn't or did. I just don't 11:24:28

17 recall. 11:24:29

18 Q Do you know one way or another whether they 11:24:31

19 actually paid for the reprints for you? 11:24:33

20 A No. 11:24:36

21 Q Well, according to your testimony before, 11:24:37

22 you always paid for your own, correct? 11:24:38

23 MR. DAVIS: Object to form. 11:24:40

24 A No. 11:24:41



1 Q That's not what you said? 11:24:41

2 That's okay. 11:24:43

3 A I -- I think that's a mis -- what you did is 11:24:44

4 a mischaracterization of what I said. I 11:24:46

5 said it was expensive. 11:24:49

6 Q Okay. 11:24:50

7 Well, what did you -- 11:24:51

8 A I said it was expensive to pay for them. 11:24:52

9 Q Okay. 11:24:55

10 A And actually had I extended it, I would have 11:24:55

11 said the sources of revenue that are used to 11:24:59

12 pay for them, you know, vary. 11:25:01

13 So sometimes if it's a grant, you pay 11:25:02

14 for them off a grant or by departmental 11:25:05

15 funds. 11:25:07

16 I didn't -- I didn't -- I never -- I 11:25:08

17 didn't pay for them out of my own. I've 11:25:10

18 always been in a position where I've either 11:25:14

19 had grants or discretionary research funds 11:25:18

20 that would be used to pay for them. 11:25:21

21 So I never took money out of a bank 11:25:24

22 account that was a Martin Keller's personal 11:25:26

23 money; however, in terms of my stewardship 11:25:29

24 of resources, I've tried to be efficient in 11:25:35

1           stewarding resources and to be careful not           11:25:39

2           to spend dollars of grants or discretionary           11:25:43

3           funds unless it was absolutely necessary.           11:25:46

4                     And in that context, it would have           11:25:49

5           been perfectly reasonable for me to try to           11:25:53

6           identify a source of money to pay for the           11:25:57

7           reprints so that I could save other           11:26:01

8           resources.           11:26:06

9       Q     Okay.           11:26:07

10                     So your prior testimony, you didn't           11:26:08

11           mean to imply that the ordering of reprints           11:26:09

12           was a financial burden for you personally;           11:26:13

13           is that right?           11:26:14

14       A     Not from my -- from my own personal dollars,           11:26:15

15           but I am the steward of dollars, and           11:26:20

16           stewardship of those dollars is something --           11:26:25

17           of dollars, of money, is something I take           11:26:27

18           very seriously.           11:26:29

19       Q     Okay.           11:26:30

20                     Take a look at what's been marked as           11:26:31

21           Exhibit 27, if you would, please.           11:26:33

22                     (Exhibit No. 27 marked for           11:26:34

23           identification.)           11:26:34

24                     (Witness read document.)           11:26:41

1 A I've looked at it. 11:26:55

2 Q Okay. 11:26:57

3 And what's that document that you 11:26:58

4 have in your hand? 11:26:59

5 A It's a letter in Sally Laden to Jim 11:27:01

6 McCafferty. 11:27:03

7 Q Okay. 11:27:04

8 And are you referenced in that 11:27:05

9 letter? 11:27:06

10 A Yes. 11:27:06

11 Q Okay. 11:27:07

12 Could you please read the letter? 11:27:07

13 A "Dear Jim: 11:27:08

14 "I am pleased to enclose a small 11:27:09

15 supply of reprints of the 11:27:12

16 paroxetine-imipramine adolescent depression 11:27:13

17 paper that was recently published in the 11:27:13

18 Journal of the American Academy of Child and 11:27:15

19 Adolescent Psychiatry. GSK funded the 11:27:18

20 purchase of reprints. A total of 300 went 11:27:20

21 to Marty Keller, who is corresponding author 11:27:22

22 on the paper, and the balance being sent to 11:27:25

23 Zach Hawkins for distribution to the 11:27:27

24 Neuroscience sales force. Samples are also 11:27:29





1	Did you ever receive any inquiries	11:29:04
2	about your article on Study 329?	11:29:07
3	A Could you be more specific about what you	11:29:15
4	mean by inquiries?	11:29:16
5	Q Do you know what an inquiry is?	11:29:19
6	A Not --	11:29:22
7	Q You don't?	11:29:23
8	A Most words have a lot of meaning, so why	11:29:24
9	don't you just tell me what you mean and	11:29:26
10	don't ask me --	11:29:28
11	Q Do you know what a question is?	11:29:29
12	A Yes.	11:29:30
13	Q Okay.	11:29:31
14	Did you ever receive any questions	11:29:31
15	with regard to the article you published on	11:29:34
16	Study 329?	11:29:38
17	MR. DAVIS: Object to the form.	11:29:39
18	A I don't remember.	11:29:45
19	Q Did any doctors ever call or write to you	11:29:45
20	and ask you to provide them with a reprint	11:29:52
21	of your study on article -- on Study 329?	11:29:54
22	A No memory of that.	11:30:00
23	Q Okay.	11:30:01
24	A I'm not saying they didn't, but I just don't	11:30:02



1 funding, which is currently ongoing, called 11:31:28

2 the Treatment of Depression-resistant 11:31:30

3 Adolescent -- something to that effect. 11:31:36

4 And as part of the background, a 11:31:39

5 significant section of that grant, as we 11:31:43

6 described the choice of treatments that we 11:31:45

7 would use in that NIMH protocol, we included 11:31:49

8 the findings from 329. 11:31:56

9 Now, I believe that preceded the 11:32:01

10 publication. In other words, I believe that 11:32:06

11 the submission of that grant preceded the 11:32:08

12 publication of the article referred to in 11:32:12

13 Exhibit 13, though we had the results. 11:32:18

14 And that required a lot of discussion 11:32:23

15 as to what is the most proper way to, you 11:32:25

16 know, include material in an application for 11:32:27

17 another grant to the NIMH of results, you 11:32:33

18 know, which are known perhaps in a draft of 11:32:36

19 an article but not yet citeable -- but not 11:32:39

20 yet -- but where the material is not yet in 11:32:46

21 print. 11:32:49

22 If it's in print, the rules are that 11:32:50

23 you're allowed to include a copy the -- of a 11:32:51

24 reprint of the article as part of the NIMH 11:32:56



1 grant submission. 11:33:01

2 If it's not in print, you're allowed 11:33:02

3 to discuss it in your preliminary study 11:33:03

4 section. 11:33:08

5 So there was a lot of discussions 11:33:10

6 about that. 11:33:13

7 Q Outside of that particular study from NIMH 11:33:14

8 that you're talking about, you don't recall 11:33:18

9 discussing -- you might not want to break 11:33:22

10 that -- 11:33:28

11 (Laughter.) 11:33:28

12 Q You don't recall any -- any other 11:33:28

13 discussions with physicians about the 11:33:32

14 prescribing of Paxil to children or 11:33:33

15 adolescents in the context of the article 11:33:35

16 you published? 11:33:39

17 A No, I don't recall the conversation -- I 11:33:41

18 guess the short answer is no. I mean, 11:33:43

19 again, a lot of discussion -- lots of 11:33:45

20 discussion with regard to the grant, you 11:33:47

21 know, the grant was submitted. I don't 11:33:49

22 think it was funded. I don't think it was 11:33:53

23 approved for funding on the first 11:33:54

24 submission. 11:33:56

1           You know, we then get comments back           11:33:57

2           from the reviewers of grant. We have to           11:33:59

3           modify the grant, so on and so forth.           11:34:01

4           So that was a -- a rather extensive           11:34:03

5           and lengthy process about that.           11:34:06

6           And there also came to be a time           11:34:07

7           following the publicity surrounding, which           11:34:09

8           started in Great Britain with the British           11:34:15

9           Medical Council which led to this grant           11:34:19

10          which -- that I'm referring to, which is           11:34:23

11          under a cooperative agreement with the NIMH           11:34:25

12          which led to a halting of the grant and a           11:34:28

13          lot of deliberation as to whether or not we           11:34:32

14          would continue with the -- you know, with           11:34:36

15          the design that included Paxil and so on and           11:34:40

16          so forth.           11:34:43

17          So there's an enormous -- there's           11:34:45

18          been an enormous amount of discussion about           11:34:46

19          the issues, but as the only -- those that I           11:34:48

20          remember are all in the context of the           11:34:53

21          research.           11:34:56

22          (Exhibit No. 28 marked for           11:34:56

23          identification.)           11:34:56

24          BY MR. COFFIN:           11:34:56

1	Q	Okay.	11:34:58
2		Let me show you one other exhibit.	11:35:01
3		We're going to have to change the tape in	11:35:03
4		just a second.	11:35:04
5		So let me just close up on the	11:35:05
6		reprint issue. That's Exhibit 28.	11:35:07
7		Could you identify that?	11:35:10
8	A	That's a letter from Sally Laden to me.	11:35:11
9	Q	Okay.	11:35:14
10		And do you -- can you read the date,	11:35:17
11		please?	11:35:19
12	A	August 7, 2001.	11:35:20
13	Q	Do you recall receiving that letter?	11:35:22
14	A	No.	11:35:25
15	Q	Does that appear to be a true and correct	11:35:25
16		copy of a letter from Sally Laden to you?	11:35:27
17	A	You know, to be fair, I can't authenticate	11:35:33
18		the letter.	11:35:35
19	Q	Actually, you can.	11:35:36
20		Is it --	11:35:37
21	A	How?	11:35:37
22	Q	Does it appear to be a letter?	11:35:37
23		Have you ever seen a letter before?	11:35:39
24	A	Have I ever seen a letter before?	11:35:41

1 Q Yes. 11:35:44

2 A Is that what some people would call a 11:35:45

3 facetious or smart-ass comment? 11:35:47

4 Q Well, I mean, if -- you give me a facetious 11:35:48

5 response, Doctor. 11:35:49

6 I'm just trying to ask you to 11:35:50

7 identify a document. It's very simple. 11:35:51

8 A But you're an attorney. I ask you to keep 11:35:52

9 your composure and not be a smart-alec. 11:35:54

10 Q It's very simple. 11:35:57

11 A I can't authenticate the letter. People 11:35:57

12 make up letters. My signature isn't on 11:35:59

13 here. 11:36:01

14 If my signature were on here, I could 11:36:01

15 recognize my signature. I can't recognize 11:36:03

16 this person's signature, and I can't tell 11:36:05

17 you that this was an authentic letter or not 11:36:07

18 an authentic letter. 11:36:09

19 That's a simple, straightforward 11:36:12

20 answer, which I think is valid. 11:36:13

21 Q I have a question to ask you about this, 11:36:17

22 Doc. 11:36:19

23 Could you please read the letter? 11:36:19

24 (Witness read document.) 11:36:21

1 A "Dear Marty: 11:36:22

2 "Enclosed please find a supply of 11:36:23

3 reprints of the adolescent depression study 11:36:25

4 that was recently published in the Journal 11:36:27

5 of the American Academy of Child and 11:36:30

6 Adolescent Psychiatry. Purchase of the 11:36:31

7 reprints was funded by the Paxil Product 11:36:32

8 Management group at GSK. 11:36:34

9 "Thank you very much for your 11:36:36

10 patience and support as this difficult 11:36:36

11 project was finally completed. 11:36:38

12 "Sincerely, Sally K. Laden." 11:36:40

13 Q Does that refresh your recollection of who 11:36:44

14 paid for the reprints received? 11:36:45

15 A No. 11:36:47

16 MR. COFFIN: All right. Let's go off 11:36:50

17 the record. 11:36:51

18 THE VIDEOGRAPHER: The time is 11:38. 11:36:52

19 We're off the record. 11:36:54

20 (Recess.) 11:36:56

21 THE VIDEOGRAPHER: We're back on the 11:54:42

22 record. This is Tape No. 2. The time is 11:54:43

23 11:56. 11:54:45

24 BY MR. COFFIN: 11:54:48

1 Q Dr. Keller, do you recall receiving any 11:54:50  
2 comments from practitioners regarding your 11:54:58  
3 publication of the results of Study 329? 11:55:03  
4 A Only those individuals who I engaged in 11:55:12  
5 research with, some of whom I believe, but I 11:55:20  
6 don't know, also have clinical practices, 11:55:26  
7 presumably, small to modest ones. 11:55:31  
8 So they would consider themselves 11:55:36  
9 perhaps clinicians, whatever you called 11:55:38  
10 them, practitioner scientists, scientist 11:55:42  
11 practitioners. 11:55:46  
12 Q Okay. 11:55:47  
13 Do you recall that you and the other 11:55:47  
14 investigators submitted a copy of the 11:55:52  
15 manuscript for what became the article for 11:55:58  
16 329 to JAMA? 11:56:02  
17 A No. 11:56:04  
18 Q Can you tell the jury what JAMA is? 11:56:05  
19 A It's the Journal of the American Medical 11:56:08  
20 Association. 11:56:09  
21 Q And you just don't recall one way or another 11:56:12  
22 whether the abstract -- or, excuse me, the 11:56:15  
23 manuscript was submitted to JAMA; is that 11:56:19  
24 correct? 11:56:21

1 A Yes. 11:56:22

2 Q Okay. 11:56:22

3 What -- what's your understanding of 11:56:23

4 the reputation of JAMA in the medical 11:56:27

5 community? 11:56:31

6 A I think it's well regarded as a journal 11:56:34

7 which goes out to a broad range of 11:56:41

8 practitioners, not -- not typically read -- 11:56:48

9 it's -- it's -- 11:57:00

10 It's rarely subscribed to or read by 11:57:03

11 specialists such as psychiatrists -- by 11:57:06

12 psychiatrists, and I believe by many other 11:57:09

13 specialists. 11:57:12

14 Tend -- it would tend to be most 11:57:14

15 widely subscribed to and read by people in 11:57:16

16 internal medicine. 11:57:19

17 Q And do you know why the manuscript for 329 11:57:27

18 was submitted to JAMA? 11:57:35

19 A I don't recall, but the logic that I go 11:57:38

20 through and that of my peers at times when 11:57:46

21 we think of submitting an article which has 11:57:49

22 to do with psychiatry or psychiatric illness 11:57:52

23 to JAMA is -- 11:57:57

24 Excuse me. 11:57:59

1 (Telephone interruption.) 11:57:59

2 MR. DAVIS: Want to go off the 11:58:01

3 record? 11:58:02

4 THE WITNESS: No, it's all right. 11:58:02

5 During the next break. 11:58:05

6 A But sometimes we wonder -- we think that 11:58:08

7 perhaps this would be of -- even though it's 11:58:12

8 specifically about psychiatry, we think 11:58:16

9 maybe it would be an interest to the general 11:58:21

10 medical community. 11:58:26

11 Very often, the editor of JAMA will 11:58:27

12 send things back to us and to peers of mine 11:58:31

13 in other specialty areas of medicine, such 11:58:33

14 as OB, rheumatology or whatever, and say 11:58:37

15 thanks for sending us your article, but I 11:58:41

16 think it would be more appropriate to a 11:58:45

17 specialty journal. 11:58:46

18 Oftentimes the reviews that come back 11:58:48

19 deal with what they consider to be the fit 11:58:51

20 of the material for JAMA and not -- if it's 11:58:57

21 a specialty article and not just the 11:59:01

22 substance, you know, of what's in the 11:59:03

23 article. It's a suitability/fit issue. 11:59:06

24 Q Okay. 11:59:10



1                   You do know that the article that you                   11:59:13

2                   prepared for publication and that your                   11:59:18

3                   colleagues helped you prepare was not                   11:59:20

4                   accepted by JAMA, correct?                   11:59:22

5           A        I don't remember that.  If you have a                   11:59:23

6                   document that says it wasn't and you show it                   11:59:27

7                   to me --                   11:59:29

8           Q        Let me ask you this:                   11:59:30

9                   You know that your article was not                   11:59:31

10                  published in JAMA, correct?                   11:59:33

11           A        Yes.                   11:59:34

12           Q        Okay.                   11:59:35

13                   Do you recall ever seeing any of the                   11:59:36

14                  reviews by reviewers who -- at JAMA who                   11:59:39

15                  looked at your article submission?                   11:59:42

16           A        I believe that Skip asked me this question                   11:59:47

17                  yesterday, and what I said was I don't                   11:59:51

18                  recall seeing any of the reviews, and then                   11:59:53

19                  went on to explain how if, indeed, we had                   11:59:55

20                  submitted it, I am sure I would have either                   12:00:01

21                  gotten a letter --                   12:00:02

22                   You know, it would be unheard of to                   12:00:05

23                  not receive a letter back from the editor                   12:00:07

24                  either saying that, you know, thanks a lot,                   12:00:09

1 but we've decided not to review it or we've 12:00:12  
2 sent it out to review. 12:00:14  
3 And if it's sent out to review, it 12:00:16  
4 would be unheard of not to get letters back 12:00:18  
5 from reviewers, and if I got them I 12:00:20  
6 certainly read them, but I don't recall 12:00:22  
7 that. 12:00:24  
8 So it was quite an extensive amount 12:00:25  
9 of discussion, which is on the record from 12:00:27  
10 yesterday. 12:00:29  
11 Q Okay. 12:00:57  
12 Do you recall -- do you recall 12:00:57  
13 submitting the manuscript -- manuscript for 12:00:58  
14 publication to the American Journal of 12:01:01  
15 Psychiatry? 12:01:01  
16 A No. 12:01:03  
17 MR. COFFIN: Can we go off the record 12:01:09  
18 for just a few minutes? I need to sort some 12:01:10  
19 things out. 12:01:12  
20 THE VIDEOGRAPHER: The time is three 12:01:13  
21 minutes after 12:00. We are off the record. 12:01:14  
22 (Recess.) 12:01:16  
23 THE VIDEOGRAPHER: We're back on the 12:08:18  
24 record. The time is ten minutes after 12:08:19

1	12:00.	12:08:21
2	BY MR. COFFIN:	12:08:22
3	Q Okay.	12:08:23
4	Dr. Keller, we were talking about	12:08:23
5	whether you recalled receiving reviews from	12:08:25
6	publications you had submitted a manuscript	12:08:32
7	to.	12:08:36
8	Do you recall that questioning?	12:08:36
9	A Yes.	12:08:37
10	Q Okay.	12:08:37
11	Do you recall reviewing any reviews	12:08:38
12	from individuals at JAMA? I believe you	12:08:43
13	already answered that, actually.	12:08:46
14	A Yes.	12:08:49
15	Q And do you recall reviewing those?	12:08:49
16	A No.	12:08:53
17	Q Okay. All right.	12:08:54
18	(Exhibit No. 29 marked for	12:08:54
19	identification.)	12:08:54
20	BY MR. COFFIN:	12:08:54
21	Q Let's look at what's been marked as	12:08:55
22	Exhibit 29.	12:08:56
23	MR. DAVIS: Okay. We'll dedesignate	12:09:03
24	this as subject to the protective order.	12:09:06



1 I'll ask you some specific questions, 12:10:23

2 but, you know, you don't have to read every 12:10:25

3 word, unless you'd like to. 12:10:28

4 A Pretty interesting. 12:10:31

5 (Witness read document.) 12:11:25

6 A Okay. 12:11:34

7 Q Have you ever -- do you recall ever seeing 12:11:36

8 that document? 12:11:37

9 A No. 12:11:39

10 Q Okay. 12:11:40

11 Do you know one way or another 12:11:42

12 whether you received that document? 12:11:44

13 A My -- my assumption is that it was sent to 12:11:52

14 me since it's reviewing -- it's a review by 12:11:56

15 JAMA of the article, I'm a corresponding 12:12:03

16 author, I'm assuming it was sent to me; and 12:12:09

17 I'm assuming I read it carefully at the time 12:12:12

18 I received it. 12:12:14

19 I just can't remember. 12:12:16

20 Q Considering -- considering you're the 12:12:18

21 primary author on the article, would it be 12:12:20

22 the normal course and practice for you to 12:12:24

23 receive comments on the article from 12:12:28

24 reviewers of a journal such as JAMA? 12:12:29

1	A	Yes.	12:12:33
2	Q	Okay.	12:12:33
3		And you don't have any reason to	12:12:35
4		doubt that that happened in this case, I	12:12:37
5		assume?	12:12:39
6	A	No reason to doubt.	12:12:39
7	Q	Okay.	12:12:42
8		I want to ask you some questions	12:12:43
9		outside of this before I get to the	12:12:46
10		specifics of this document that's been	12:12:48
11		marked as 29.	12:12:50
12		Study 329 included a supportive	12:12:55
13		therapy component for each participant in	12:13:00
14		the study, correct?	12:13:03
15	A	Yes.	12:13:04
16	Q	Do you recall that?	12:13:04
17	A	Yes.	12:13:06
18	Q	Okay.	12:13:06
19		And can you explain what the	12:13:07
20		supportive therapy component entailed?	12:13:10
21	A	I can give you a general explanation and	12:13:17
22		rationale, but there's a specific --	12:13:20
23		I believe that we used a specific	12:13:26
24		manual that set up the -- codified the --	12:13:30

1           how the supportive therapy was to be           12:13:38

2           performed. I seem to recall that we had           12:13:40

3           that.           12:13:41

4                     And so in order to give you the           12:13:42

5           specifics that should be part of the, you           12:13:46

6           know, the grant, the procedure materials for           12:13:48

7           the grant -- so if you want specificity, if           12:13:53

8           you gave that to me, I could go through it           12:13:57

9           with you.           12:13:59

10          Q       Well, it would be in the protocol for the           12:14:00

11           study?           12:14:01

12          A       It should either be in -- it wouldn't be           12:14:05

13           in -- in one of the --           12:14:08

14                     The exhibits that I received were           12:14:10

15           relatively short, you know, descriptions of           12:14:13

16           the study.           12:14:20

17                     It would be an appendix to -- it's           12:14:21

18           typically in -- it's typically what we call           12:14:23

19           an appendix, and it's a manual.           12:14:26

20                     And I'm not sure that we used the           12:14:31

21           manual, but there is a manual for supportive           12:14:32

22           treatment that's commonly used in           12:14:35

23           placebo-controlled pharmacologic studies,           12:14:40

24           which we may have adopted at that particular           12:14:43

1 study. 12:14:45

2 I seem to recall that. I just don't 12:14:46

3 remember for sure. 12:14:47

4 Q Okay. 12:14:48

5 Well, without going back through all 12:14:49

6 those documents, the point is that there was 12:14:51

7 supportive psychotherapy, if you will, 12:14:55

8 provided to patients in the study, correct? 12:14:57

9 A Just -- I just don't remember for sure 12:15:00

10 exactly what we did. 12:15:03

11 Q Okay. 12:15:05

12 A I mean, that's clearly a matter of -- you 12:15:06

13 know, a fact that we could easily determine, 12:15:10

14 so... 12:15:12

15 Q Okay. 12:15:15

16 A Depends whether you want to spend the time 12:15:18

17 digging through the stuff. 12:15:20

18 Q Well, actually, I can -- let me see if I can 12:15:23

19 refresh your recollection just by using a 12:15:26

20 document that we already have marked as 12:15:27

21 Exhibit 13, which is the article that you 12:15:29

22 published. 12:15:35

23 Maybe this will help us without going 12:15:35

24 specifically back into all the protocol and 12:15:37





1 listening to their problems. 12:16:56

2 So in that case you're being 12:17:02

3 supportive of them, listening in a -- in a 12:17:04

4 caring way. 12:17:06

5 But what you're not doing is you're 12:17:08

6 not doing what's traditionally known as 12:17:11

7 psychotherapy, whereby you are, you know, 12:17:15

8 making an effort to understand the causes or 12:17:20

9 contributing factors to their depression or 12:17:28

10 other psychiatric troubles. 12:17:32

11 You're not trying to get to 12:17:35

12 understand why those have occurred from a 12:17:36

13 psychological perspective, nor are you then 12:17:39

14 making suggestions as to how using 12:17:42

15 psychological processes they could improve 12:17:48

16 themselves. 12:17:51

17 It's much more than as I described to 12:17:52

18 you. 12:17:54

19 Q It's much more supportive? 12:17:55

20 A Supportive -- 12:17:58

21 Q Hence supportive therapy? 12:17:59

22 A Without being prescriptive. 12:18:00

23 Q Okay. 12:18:02

24 Understandable. 12:18:03

1 A And the efforts made to standardize it so 12:18:06  
2 that all the patients would be, you know, in 12:18:09  
3 clinical trials. 12:18:12  
4 That's what I'm saying, the effort is 12:18:13  
5 to have that be very standardized. So 12:18:15  
6 obviously you can't, you know, from 12:18:18  
7 individual to individual, you can't be 12:18:20  
8 exact, but there are certain things you can 12:18:24  
9 say and cannot say. 12:18:28  
10 Q Okay. 12:18:30  
11 You recognize that the use of 12:18:31  
12 supportive therapy can contribute to 12:18:32  
13 positive outcomes for individuals who have 12:18:37  
14 depression. 12:18:39  
15 Do you recognize that? 12:18:42  
16 A Yes. 12:18:44  
17 But what I'm -- what this says here, 12:18:44  
18 and, again, Chris, to the extent that it's 12:18:46  
19 important, you might well want to go back to 12:18:49  
20 the manual. This says "supportive case 12:18:52  
21 management sessions" as opposed to saying 12:18:54  
22 "supportive psychotherapy." 12:18:57  
23 Q Okay. 12:19:01  
24 A There is such a -- just so you know, I'm not 12:19:02



1 different psycho -- in different 12:19:59  
2 psychopharmacologic designs. I don't 12:20:00  
3 remember which ones we used. 12:20:03  
4 Just to give you an example of the 12:20:05  
5 differences, there's a manual for a 12:20:08  
6 nonspecific supportive therapy that's used 12:20:10  
7 to test whether cognitive behavioral therapy 12:20:13  
8 is effective. So that's -- that's one type. 12:20:16  
9 And then there's a nonspecific 12:20:19  
10 supportive management procedure in 12:20:22  
11 pharmacologic trials. 12:20:25  
12 There are many of them, but there are 12:20:27  
13 at least -- those would be the two 12:20:29  
14 distinctions. I'm not -- I just don't 12:20:30  
15 remember which of those were used. 12:20:32  
16 Among the different ones, some are 12:20:36  
17 much more active than others in their 12:20:39  
18 approach. 12:20:41  
19 Q Okay. 12:20:42  
20 Well, let me ask you this: You 12:20:46  
21 recognize that case management therapy, as 12:20:47  
22 we've been discussing, can also improve a 12:20:49  
23 person's -- person's depression? 12:20:54  
24 A It may. 12:21:01

1 Q And, in fact, yesterday, actually, you 12:21:02  
2 testified that sometimes when you visit with 12:21:05  
3 patients and you're seeing them, you're not 12:21:11  
4 actually performing psychotherapy, that you 12:21:13  
5 see an improvement with them; is that 12:21:15  
6 correct? 12:21:17

7 A Yes. 12:21:19

8 But to clarify, I don't believe what 12:21:19  
9 I'm seeing is something which is reversing a 12:21:22  
10 major depression. 12:21:25

11 I believe that when -- at least my 12:21:29  
12 understanding of what happens, sometimes 12:21:33  
13 when people come to see me, because they'll 12:21:34  
14 tell me that themselves, that they'll think 12:21:36  
15 that I've understood their problems. 12:21:39

16 They'll think that I have some -- 12:21:41  
17 have covered some material that wasn't 12:21:43  
18 covered, you know, by the -- by the treating 12:21:46  
19 therapist, that I have some ideas, perhaps, 12:21:48  
20 of things that they should explore. And 12:21:52  
21 they end up -- 12:21:55

22 It's not universal. It doesn't 12:21:57  
23 always happen, but sometimes they end up 12:21:59  
24 saying they're feeling more optimistic and 12:22:01

1 positive that -- that on the basis of this, 12:22:03

2 they might move forward, and things might 12:22:07

3 work out better with their therapist. 12:22:10

4 So they feel better. They feel 12:22:12

5 better. It's been helpful. 12:22:14

6 Rarely -- I mean, I can't recall 12:22:16

7 seeing that I've reversed someone who was in 12:22:18

8 a very, very bad state and they're all 12:22:21

9 better. 12:22:25

10 Q Right. 12:22:26

11 And you're saying that you've seen 12:22:27

12 this improvement without actual 12:22:30

13 psychotherapy? 12:22:34

14 Is that what you're saying? 12:22:34

15 A Yes. 12:22:36

16 Q Okay. 12:22:37

17 And you've seen it without actual 12:22:37

18 pharmacotherapy? 12:22:39

19 A Yes. 12:22:41

20 Now, it's also true that what makes 12:22:42

21 it very hard to interpret what that means, 12:22:45

22 which is why you need to go double-blind and 12:22:48

23 not just rely on your what your impression 12:22:53

24 is, is that the natural course of depression 12:22:56

1 is -- in children and adolescents as well as 12:22:58

2 in adults, though we now are studying 12:23:03

3 bipolar disorder in children, is to be one 12:23:07

4 that's fluctuating so that there's a 12:23:10

5 confounding, which is why you need to 12:23:14

6 double-blind and why what the clinician 12:23:16

7 believes happens with the patient isn't 12:23:18

8 always -- you know, isn't accepted by a 12:23:22

9 regulatory body as evidence, because the 12:23:26

10 onus can fluctuate, can wax and wane. 12:23:31

11 And you -- given a person that I've 12:23:37

12 seen -- or yesterday you might have been 12:23:39

13 feeling really pretty depressed, and today 12:23:41

14 you may feel better without having seen me 12:23:45

15 or seen anyone just without there being a 12:23:47

16 reason that we can understand why. 12:23:50

17 And that can last for a week or a few 12:23:51

18 weeks or whatever, and that's known as a 12:23:54

19 natural course of depression. 12:23:55

20 Indeed, a high proportion of people 12:23:57

21 change. You know, their state fluctuates 12:24:00

22 even without treatment, gets worse, gets 12:24:06

23 better, so on. 12:24:10

24 So it just -- that's what confound 12:24:10





1 with people who visit you who you haven't 12:25:02

2 provided pharmacotherapy to? 12:25:04

3 A Yes. 12:25:06

4 Q And you've also seen improvement with people 12:25:06

5 who have visited you who you have not 12:25:08

6 provided psychotherapy? 12:25:11

7 A Yes. 12:25:13

8 Q Okay. 12:25:13

9 Let's look at the article -- 12:25:14

10 what's -- Exhibit No. 20 -- 12:25:15

11 MR. GREEN: 29. 12:25:18

12 Q 29, okay. 12:25:19

13 And this appears to be -- actually, 12:25:20

14 can you just read the heading of that? 12:25:24

15 A "Comments from three JAMA reviewers and 12:25:26

16 suggested revisions to be made before 12:25:28

17 submitting to American Journal of 12:25:30

18 Psychiatry." 12:25:30

19 Q Okay. 12:25:32

20 And these comments appear from this 12:25:33

21 document to be comments on the manuscript 12:25:37

22 that was submitted to JAMA, correct? 12:25:39

23 A Yes. 12:25:42

24 Q Okay. 12:25:42



1 will say we think this is appropriate for 12:26:42

2 this journal. And, indeed, it -- here's 12:26:44

3 the -- these are the suggestions made by our 12:26:47

4 reviewers, and you might want to go ahead 12:26:49

5 and take those and send it to that journal. 12:26:51

6 I don't know that we went ahead and 12:26:53

7 did that next. We might have decided 12:26:56

8 instead to just not take their suggestion 12:26:59

9 and just submit it to the JA -- journal that 12:27:03

10 it was published in. 12:27:10

11 I just don't remember. 12:27:11

12 (Exhibit No. 30 marked for 12:27:12

13 identification.) 12:27:12

14 BY MR. COFFIN: 12:27:12

15 Q Okay. 12:27:12

16 Let me ask you to take a look at 12:27:13

17 Exhibit 30, and maybe we can clear this up. 12:27:15

18 And take a read over that. 12:27:19

19 (Witness read document.) 12:27:21

20 MR. DAVIS: Chris, is that the letter 12:27:40

21 from JAMA to Dr. Keller? 12:27:41

22 MR. COFFIN: That's the letter -- no, 12:27:44

23 actually, I don't believe that's correct. 12:27:45

24 He'll show it to you when he's done. 12:27:47

1 A Should I hand it -- 12:27:57

2 Q Sure. 12:28:00

3 MR. DAVIS: Thank you, Doctor. 12:28:01

4 (Counsel read document.) 12:28:07

5 MR. DAVIS: Thank you. 12:28:08

6 MR. COFFIN: Okay. 12:28:09

7 MR. MURGATROYD: Did you dedesignate 12:28:11

8 that one? 12:28:13

9 MR. DAVIS: It's been accidentally 12:28:13

10 designated subject to the confidentiality 12:28:14

11 order. We withdraw it. 12:28:17

12 MR. COFFIN: Okay. 12:28:18

13 BY MR. COFFIN: 12:28:18

14 Q Dr. Keller, that's letter, correct? Appears 12:28:19

15 to be? 12:28:22

16 A Yes. 12:28:23

17 Q Okay. 12:28:23

18 And who is the letter to and from? 12:28:23

19 A It's to Jim McCafferty from Sally Laden. 12:28:26

20 Q Okay. 12:28:31

21 And are you referenced in that 12:28:31

22 letter? 12:28:33

23 A Yes. 12:28:34

24 Q Okay. 12:28:34

1                   Does this refresh -- refersh your                   12:28:37

2                   recollection as to submissions that were                   12:28:38

3                   made to JAMA?                   12:28:43

4           A        No.                   12:28:44

5           Q        Okay.                   12:28:45

6                   Well, just -- how about this. Just                   12:28:45

7                   read the first paragraph.                   12:28:47

8           A        Okay.                   12:28:49

9                   "Enclosed is the draft rebuttal to                   12:28:49

10                  the JAMA reviewer comments for PAR329. As                   12:28:52

11                  was agreed in the conference call with Drs.                   12:28:57

12                  Keller, Ryan and Strober on November 15, we                   12:28:59

13                  will seek -- we will, one, seek approval                   12:29:02

14                  from the authors on the plan revisions to be                   12:29:04

15                  made. Two, we will make the revisions. And                   12:29:07

16                  three, we will submit the manuscript to                   12:29:10

17                  the -- to American Journal of Psychiatry."                   12:29:13

18           Q        Okay. All right.                   12:29:15

19                   So now let's look back and -- at the                   12:29:16

20                  document that was marked prior to that which                   12:29:21

21                  is comments from the three JAMA reviewers.                   12:29:23

22           A        Yes.                   12:29:26

23           Q        Now, if you read that in conjunction with                   12:29:26

24                  the letter that I just put in front of you,                   12:29:28

1           it appears, does it not, that these -- there           12:29:33

2           are suggested revisions to the article based           12:29:38

3           on comments from JAMA reviewers, correct?           12:29:43

4       A     Yes.           12:29:45

5       Q     Okay.           12:29:46

6                     Let's look at Reviewer No. 1, if you           12:29:47

7           could turn to that page. I believe it's           12:29:53

8           page 2.           12:29:57

9                     Are you on --           12:29:58

10      A     So done.           12:30:01

11      Q     Okay.           12:30:01

12                     Could you please read -- have you           12:30:02

13           read over this paragraph? Look at No. 1           12:30:04

14           under Reviewer No. 1.           12:30:06

15      A     Okay.           12:30:11

16      Q     Look at the sixth line down, begins with           12:30:20

17           "readers of this paper."           12:30:22

18                     Could you please read that into the           12:30:24

19           record?           12:30:26

20      A     "Readers of this paper might receive the           12:30:26

21           wrong impression and believe that a 65 to 70           12:30:29

22           percent response rate could be achieved with           12:30:33

23           paroxetine without the education and support           12:30:36

24           of psychotherapy that the placebo-treated           12:30:37

1 patients in the study received." 12:30:41

2 Q Go ahead and continue. 12:30:44

3 A "That outcome is particularly worrisome in 12:30:44

4 this area of health cost containment. Thus, 12:30:47

5 this study could do more harm than good 12:30:50

6 unless the authors devote much more 12:30:53

7 attention in their discussion to the fact 12:30:55

8 that the bulk of the effect of the study was 12:30:56

9 the result of good clinical management and 12:30:58

10 not the medication." 12:31:00

11 Q Okay. 12:31:01

12 Now, do you agree that -- with this 12:31:04

13 reviewer from JAMA that -- with the 12:31:08

14 statement that he makes or she makes that 12:31:12

15 this study could do more harm than good 12:31:13

16 unless the authors devote more attention in 12:31:18

17 their discussion to the fact that the bulk 12:31:20

18 of the effect of the study was the result of 12:31:22

19 good clinical management and not the 12:31:25

20 medication? 12:31:27

21 A I disagree strongly. 12:31:28

22 Q Okay. 12:31:30

23 And I assume you disagree with the 12:31:31

24 JAMA reviewer's comment that the bulk of the 12:31:33



1 effect of the study was the result of good 12:31:36

2 clinical management and not the medication? 12:31:39

3 A Right. The reviewer has no -- there's no 12:31:42

4 scientific basis to support what this 12:31:44

5 reviewer said. 12:31:46

6 The only way that he could 12:31:47

7 possibly -- that that could be supportive is 12:31:48

8 if you did a controlled -- if you had two -- 12:31:50

9 if you had two different types of 12:31:52

10 nonpharmacologic activities going on so that 12:31:56

11 if you -- if you had a multicell design and 12:31:59

12 some people received the -- what's described 12:32:04

13 as the -- you know, whatever type of 12:32:07

14 management we gave and another group, you 12:32:11

15 know, received nothing, that's the only way 12:32:13

16 you could parse out and determine whether it 12:32:15

17 had an effect. 12:32:18

18 So this is an ill-informed statement 12:32:19

19 scientifically. 12:32:21

20 Q Well, wouldn't it also be true that you 12:32:26

21 can't make a statement as to whether or not 12:32:28

22 the psychotherapy or the supportive case 12:32:30

23 management contributed significantly or did 12:32:33

24 not contribute significantly to the effect 12:32:35

1 of the stuff that's shown in the study? 12:32:38

2 MR. DAVIS: Object to the form. 12:32:42

3 A What you're saying is partially correct. 12:32:52

4 Q Okay. 12:32:56

5 The distinction is -- which is -- 12:32:57

6 First of all, we did say in the 12:32:59

7 manuscript that a probable contributing 12:33:01

8 factor was a -- weekly supportive case 12:33:03

9 management sessions which may have 12:33:05

10 contributed to the clinical improvement of 12:33:07

11 patients in the placebo and active treatment 12:33:08

12 group. 12:33:12

13 So the pertinent thing, Chris, which 12:33:13

14 I believe is responsive to your question to 12:33:18

15 me, is that -- that subjects in both the 12:33:20

16 placebo group and the active medication 12:33:27

17 group received, you know, what we're 12:33:30

18 assuming was a similar -- the same type of 12:33:34

19 supportive case management treatment. 12:33:37

20 Despite that, there was still a 12:33:40

21 difference between the two groups. So that 12:33:42

22 on whole, there was an effect of the 12:33:46

23 medication relative to placebo that was 12:33:51

24 statistically significant on the -- in the 12:33:54

1 four depressive measures that we've talked 12:33:58  
2 about. 12:34:00  
3 Now -- so that -- so that's where -- 12:34:02  
4 that's where the value of the medication is 12:34:08  
5 shown. 12:34:10  
6 Now, it is correct that the both -- 12:34:11  
7 the placebo both -- the subjects on either 12:34:14  
8 placebo or medication may well have received 12:34:17  
9 meaningful benefit from the psycho -- from 12:34:22  
10 the case management, but even with that 12:34:25  
11 benefit, they were -- they were 12:34:32  
12 significantly better off to have received 12:34:34  
13 the medication and the management compared 12:34:36  
14 to placebo and the management. 12:34:39  
15 Q But you don't actually know that -- 12:34:42  
16 A Yes, you do. 12:34:44  
17 Q -- as you just testified, because -- 12:34:44  
18 A No. Of course you do. Of course you do. 12:34:46  
19 What I'm saying is -- 12:34:48  
20 Q Well, you don't know the effect that the -- 12:34:49  
21 that the psychotherapy or case management 12:34:51  
22 had on each individual patient? 12:34:52  
23 A But that's not -- that's not relevant here. 12:34:54  
24 The relevant thing is in measure -- in 12:34:56

1 making a judgment as to whether medication, 12:35:00  
2 in this case, paroxetine, was beneficial 12:35:05  
3 relative to placebo. 12:35:12  
4 The fact that the subjects on placebo 12:35:13  
5 and -- I should talk to the jury. I'm 12:35:17  
6 sorry. I shouldn't be looking at you guys. 12:35:20  
7 I don't mean to be rude 12:35:22  
8 But the fact that the -- that the 12:35:24  
9 subjects in the study on the placebo and 12:35:26  
10 paroxetine both -- all received the same 12:35:30  
11 type of supportive treatment and yet there 12:35:35  
12 was still a difference between the 12:35:39  
13 medication and placebo that was 12:35:40  
14 statistically significant is an indication 12:35:41  
15 of the efficacy of the treatment for 12:35:45  
16 depression in adolescents in this trial. 12:35:49  
17 It doesn't matter -- you know, to 12:35:54  
18 whatever extent the -- the supportive 12:35:59  
19 treatment contributed -- I can't tell -- we 12:36:01  
20 can't -- we can't say -- 12:36:04  
21 A goal of this study was not to say 12:36:06  
22 how much of the effect was a result of the 12:36:09  
23 case management sessions. But taking 12:36:16  
24 into -- that into consideration, we still 12:36:22

1 saw a statistically significant difference. 12:36:24

2 Is that clear? 12:36:27

3 Q I understand what you're -- I understand 12:36:28

4 your response. I understand your response. 12:36:30

5 So you -- you disagree with the 12:36:33

6 statement that the bulk of the effect of the 12:36:37

7 study was the result of good clinical 12:36:39

8 management and not medication? 12:36:41

9 A Absolutely. There's no basis to say this. 12:36:42

10 Q Well, when the reviewer -- in the normal 12:36:45

11 course and practice, is it your 12:36:49

12 understanding that a reviewer for a journal 12:36:51

13 article like JAMA reviews the manuscript and 12:36:54

14 the data that you provide in the manuscript? 12:37:00

15 A I sure hope so. 12:37:04

16 Q You would think so, right? 12:37:07

17 That's why they're called a reviewer, 12:37:08

18 correct? 12:37:09

19 A Right. 12:37:10

20 On the other hand, sir, as an editor 12:37:11

21 of several journals and as someone who has 12:37:14

22 published probably 400 articles, I see 12:37:20

23 reviews as an editor that are absolutely 12:37:23

24 totally off base. 12:37:25

1                   Moreover, just -- perhaps not to --                   12:37:29

2                   not to -- if you were to say to me how do                   12:37:31

3                   you know they're off base, I often get                   12:37:33

4                   totally contradictory reviewers.                   12:37:35

5                   So as an editor when I send a                   12:37:37

6                   manuscript out to anywhere between three and                   12:37:40

7                   seven people, depending upon the manuscript,                   12:37:42

8                   I'll get one reviewer whichd that says,                   12:37:44

9                   Accept as-is. You know, the greatest thing                   12:37:46

10                   since sliced bread. And I'll get another                   12:37:49

11                   reviewer which is highly critical, rips it                   12:37:52

12                   to shreds and says, Don't accept. I don't                   12:37:55

13                   want to see it again.                   12:37:58

14                   And you can get those opinions on the                   12:37:59

15                   same article.                   12:38:00

16                   Q    Do you discount either of those opinions?                   12:38:01

17                   A    Sometimes.                   12:38:03

18                   Q    Okay.                   12:38:05

19                   And on what basis?                   12:38:06

20                   A    Well, as the editor, I have to move forward                   12:38:09

21                   with life and make a judgment, and I then --                   12:38:11

22                   and the reason --                   12:38:17

23                   You know, I just have to weigh it                   12:38:18

24                   out.                   12:38:19

1 Q You consider both of them, correct? 12:38:19

2 A I certainly consider them. I don't always 12:38:21

3 agree with them. 12:38:25

4 But -- but what I want to make clear 12:38:26

5 is, the fact that any given reviewer has a 12:38:28

6 criticism of an article or a grant, for that 12:38:30

7 matter, which is -- which is very consistent 12:38:34

8 with the same line of thinking, and that a 12:38:38

9 grant -- 12:38:42

10 You know, an article can be turned 12:38:42

11 down by three journals and end up being, you 12:38:43

12 know, a prize-winning article, if you will. 12:38:47

13 It can go down as having a major positive 12:38:49

14 impact in the field. 12:38:52

15 A grant can be turned down three 12:38:53

16 times before it's funded on then go on and 12:38:54

17 produce science which is fantastic. 12:39:00

18 So the fact that something is 12:39:03

19 criticized, A, doesn't mean the criticism is 12:39:05

20 valid; and, B, doesn't mean that with some 12:39:08

21 modification after in response to the 12:39:10

22 criticism, either partial or complete, you 12:39:13

23 don't have something which is the better 12:39:16

24 product for it. 12:39:17

1                   So I just hope that's -- you                   12:39:20

2                   understand it.                   12:39:22

3           Q        You recognize that in clinical practice,                   12:39:23

4                   individuals who are receiving antidepressant                   12:39:27

5                   therapy don't usually have weekly supportive                   12:39:32

6                   therapy that goes along with that?                   12:39:35

7           A        I don't know that that's true.                   12:39:36

8                   In -- in my own practice, however                   12:39:41

9                   currently limited, but at one point in my                   12:39:46

10                  career after I was done with my training, I                   12:39:51

11                  saw as many as 20 hours of patients a week.                   12:39:54

12                  I saw people -- I pretty much -- I                   12:39:57

13                  pretty much saw everybody on as close to a                   12:40:01

14                  weekly basis as possible, even if they were                   12:40:04

15                  also on medication.                   12:40:06

16                  I happen to think that's the ideal                   12:40:09

17                  way for patients to be treated.                   12:40:12

18           Q        Are you familiar with the prescribing                   12:40:13

19                  practices of general practitioners with                   12:40:16

20                  regard to antidepressant therapy?                   12:40:20

21           A        I don't know what you mean by am I familiar.                   12:40:29

22           Q        Have you read literature or have you heard                   12:40:31

23                  presentations regarding the prescribing                   12:40:37

24                  habits of general practitioners in -- with                   12:40:39



1 using antidepressants? 12:40:43

2 A I haven't read literature or heard 12:40:45

3 presentations about the prescribing, 12:40:52

4 whatever word you used, practices of general 12:40:57

5 practitioners. 12:40:58

6 Q Okay. Okay. 12:40:59

7 What's your -- what's your general 12:41:00

8 understanding of the prescribing practices 12:41:04

9 of general practitioners with regard to 12:41:06

10 antidepressant therapy, if you have an 12:41:09

11 understanding? 12:41:14

12 A I know that a meaningful proportion -- and I 12:41:19

13 can't tell you what, but a meaningful 12:41:21

14 proportion of antidepressant medication is 12:41:23

15 prescribed by general medical physicians, be 12:41:33

16 it an internist, a family practice doctor or 12:41:40

17 a primary care doctor. 12:41:43

18 Q Does that include prescriptions to children 12:41:45

19 and adolescents? 12:41:48

20 A I don't know that. 12:41:49

21 It's my impression -- actually, based 12:41:54

22 on an experience of one, when I once gave a 12:41:56

23 talk to the American Academy of Family 12:42:01

24 Practitioners, that just during the question 12:42:05

1 and answer -- and I can't tell you how long 12:42:10  
2 ago it was, when it was. It was warm in the 12:42:13  
3 winter. 12:42:17  
4 And it was their academy meeting and 12:42:17  
5 they asked me to talk about depression and I 12:42:20  
6 was talking generally, and I do know that I 12:42:22  
7 just remember -- it just made an impression 12:42:30  
8 on me that they were -- felt far more 12:42:32  
9 comfortable seeing and treating adults than 12:42:36  
10 they did adolescents. 12:42:38  
11 Now, of course, I do know that within 12:42:40  
12 family -- within general medicine, there is 12:42:42  
13 a specialty called adolescent medicine so 12:42:44  
14 that certainly, you know, primary care 12:42:46  
15 doctors do -- can choose to get training in 12:42:49  
16 adolescent medicine, so I don't think it was 12:42:52  
17 that group. 12:42:55  
18 But I think those that don't have -- 12:42:55  
19 you know, those general medical 12:42:57  
20 practitioners who don't have specialty 12:42:59  
21 training I think are less comfortable, it's 12:43:02  
22 my impression, in treating adolescents and 12:43:04  
23 children with mental illness than they are 12:43:10  
24 in treating adults. 12:43:12

1 Q How about with regard to individuals 12:43:17

2 practicing psychiatry, what is your 12:43:19

3 impression of the prescribing habits they 12:43:21

4 have they have with regard to prescribing 12:43:25

5 antidepressants to children and adolescents? 12:43:30

6 A Please be more specific, Chris, in asking 12:43:32

7 the thing about prescribing habits. 12:43:35

8 Q Yes. 12:43:44

9 Have you ever read any literature or 12:43:44

10 heard any presentations discussing the -- 12:43:46

11 discussing the prescribing practices of 12:43:59

12 psychiatrists with regard to antidepressants 12:44:01

13 to children and adolescents? 12:44:08

14 A A long time ago in the 1980s I wrote an 12:44:19

15 article myself on the use -- this isn't the 12:44:24

16 exact title, but it's something to the 12:44:31

17 effect of the use of anti -- the treatment 12:44:33

18 of antidepressants, and it might have said 12:44:35

19 adolescents or children, or something to 12:44:40

20 that effect. It might have actually been 12:44:41

21 published in this very same journal. 12:44:43

22 And at the time I reviewed the 12:44:46

23 literature, and what stands out in my 12:44:53

24 mind -- because it's consistent with a body 12:44:56

1 of work that I've published over the 12:45:01  
2 years -- was what I call to be the 12:45:03  
3 undertreatment of depression for everybody 12:45:07  
4 who's depressed. 12:45:08

5 But in this case, and I can't quote 12:45:09  
6 you the amount, I was stunned that I had -- 12:45:11  
7 in my study, because I -- I mentioned to you 12:45:15  
8 all yesterday that I was the coprincipal 12:45:18  
9 investigator on the cohort of offspring of 12:45:21  
10 parents who had mood disorders, and we were 12:45:24  
11 studying the offspring, some of whom were 12:45:28  
12 children; and we looked at those who were 12:45:32  
13 depressed and simply recorded, you know, 12:45:36  
14 what treatments they received. 12:45:38

15 And I was stunned that something like 12:45:39  
16 less than ten percent of some combination in 12:45:41  
17 this study, of children and adolescents who 12:45:46  
18 were depressed -- this is back in the 12:45:48  
19 1980s -- were receiving any type of 12:45:50  
20 treatment for their depression, let alone an 12:45:53  
21 antidepressant. 12:45:56

22 And I do remember at the time 12:45:57  
23 reviewing whatever literature there was, 12:45:59  
24 and -- it was extremely sparse -- and being 12:46:03

1           stunned at how much undertreatment there           12:46:07

2           was.           12:46:10

3                     In response to your question about           12:46:11

4           prescribing practices, you know, in general           12:46:13

5           I've published several articles which have           12:46:16

6           had wide -- have been read widespread and           12:46:19

7           translated into many languages on, quotes,           12:46:22

8           the undertreatment of depression.           12:46:26

9                     And circa, you know, the last major           12:46:27

10          piece of work I did in the late 1990s, my           12:46:30

11          conclusion which was published, so I don't           12:46:34

12          know if the reviewers agreed in that case,           12:46:38

13          was that less than ten percent of people           12:46:41

14          suffering from major depression in the           12:46:43

15          United States and worldwide received even           12:46:45

16          one course of an antidepressant in an           12:46:47

17          adequate dose for a sufficient duration.           12:46:51

18                     And now as part of that, because it           12:46:53

19          comes back to me, we looked -- we also           12:46:57

20          reviewed what was done in general medical           12:47:00

21          practice and not just psychiatry, the lion's           12:47:02

22          share, if not all, had to do with adults,           12:47:05

23          because that was in the literature.           12:47:09

24                     But underprescription is a major           12:47:11



1                   So the SSRIs have been available                   12:48:13

2                   since December of 1987. So approximately                   12:48:17

3                   ten years later, we still found that                   12:48:20

4                   approximately ten percent of people with                   12:48:23

5                   major depression had only received one                   12:48:25

6                   course of adequate dose of sufficient                   12:48:28

7                   duration.                   12:48:31

8           Q        Let me turn you to --                   12:48:32

9           A        It's a major problem in society.                   12:48:34

10          Q        Let me turn you to page 3 of this document                   12:48:37

11                   that's in front of you. It's the JAMA                   12:48:40

12                   reviewers' comments.                   12:48:47

13                   Do you see No. 6?                   12:48:49

14                   Could you please read that into the                   12:48:54

15                   record?                   12:48:56

16          A        "The high dose of imipramine employed in                   12:48:57

17                   this study likely also comprised the blind."                   12:48:59

18                   I'm not familiar with the word comprised,                   12:49:04

19                   the definition.                   12:49:07

20          Q        Do you believe that might be an error?                   12:49:08

21          A        I don't know, frankly. I just don't know.                   12:49:09

22          Q        Would it make sense that maybe that sentence                   12:49:12

23                   should be read -- should read, "This study                   12:49:14

24                   also likely compromised the blind"?

1 A Could be. Just don't know. 12:49:20

2 "The authors do not address this 12:49:22

3 issue. However, the anticholinergic adverse 12:49:24

4 events cited in Table 5 are such that one 12:49:29

5 would expect the authors should have been 12:49:32

6 able to determine who was on imipramine with 12:49:33

7 reasonable certainty." 12:49:35

8 Q Do you disagree with that statement? 12:49:37

9 A Absolutely. 12:49:39

10 Q Okay. 12:49:40

11 A There is evidence and studies have been 12:49:40

12 done -- I can't tell you exactly where in 12:49:42

13 the literature -- that have actually made an 12:49:48

14 effort to have both the -- both subjects in 12:49:51

15 research studies as well as the 12:49:54

16 investigators guess what treatments that 12:49:55

17 they're on based on the presumptive adverse 12:49:59

18 events. 12:50:03

19 And the results have been stunning, 12:50:03

20 that typically people guess no better 12:50:09

21 than -- much better than 50 percent as to 12:50:12

22 whether they're on placebo or active 12:50:14

23 treatment or whether they can differentiate 12:50:16

24 treatments. 12:50:19



1                   So there is a literature which shows                   12:50:19

2                   that despite what you would believe to be                   12:50:23

3                   sufficient enough differences in package                   12:50:27

4                   inserts that people can guess, that they're                   12:50:28

5                   not accurate in guessing what treatment                   12:50:32

6                   condition they're under.                   12:50:34

7                   Otherwise, the blind would be so                   12:50:36

8                   highly compromised, because, as you may be                   12:50:38

9                   aware, when the FDA makes a judgment as to                   12:50:41

10                  whether a treatment should be approved for                   12:50:44

11                  any disease in medicine, but here let's just                   12:50:48

12                  stick to depression, the comparisons are                   12:50:51

13                  between an active drug and placebo.                   12:50:53

14                  And since that -- so -- so this is a                   12:50:55

15                  very -- this --                   12:51:04

16                  Q    Let's look at Reviewer No. 2.   Starts on                   12:51:07

17                  page 5.                   12:51:17

18                  I'd like to look at the second                   12:51:25

19                  comment, which I believe starts at the                   12:51:28

20                  beginning, top of page 6.                   12:51:32

21                  A    "The strength of the study is that it is a                   12:51:35

22                  first replication of the efficacy of                   12:51:37

23                  antidepressant in treatment" --                   12:51:40

24                  Q    Top of page 6, "The study" ?                   12:51:41

1 A Oh, the second -- hold on. 12:51:47

2 Q I just want you to -- you can read that to 12:51:56

3 yourself. 12:51:58

4 A No, no. I had two pages stuck together, 12:51:59

5 so -- 12:52:01

6 MR. DAVIS: How is everyone holding 12:52:01

7 up in terms of lunch break? 12:52:02

8 MR. MURGATROYD: I think we've got -- 12:52:04

9 as soon as we finish this document, we 12:52:05

10 should take a break. 12:52:07

11 MR. COFFIN: Yes. We can do that. 12:52:08

12 MR. DAVIS: Sure. 12:52:10

13 If that's okay with Dr. Keller and 12:52:10

14 his counsel, it's fine with us. 12:52:12

15 THE WITNESS: Getting weak. Depends 12:52:15

16 how much time we need. 12:52:16

17 MR. COFFIN: No, that's -- there's 12:52:16

18 only a few more pages, so... 12:52:16

19 THE WITNESS: Either that or we just 12:52:16

20 go straight through without eating. 12:52:17

21 MR. MURGATROYD: No, no. 12:52:20

22 (Laughter.) 12:52:20

23 BY MR. COFFIN: 12:52:20

24 Q Okay. 12:52:20

1                   Just read to yourself that first                   12:52:20

2                   paragraph on the top of page 6. I'll ask                   12:52:21

3                   you a few questions about it.                   12:52:24

4                   (Witness read document.)                   12:52:32

5           A        I read it quickly, so...                   12:53:25

6           Q        Okay.                   12:53:27

7                   Look at the sentence that starts                   12:53:27

8                   about the middle of the paragraph. It says,                   12:53:28

9                   "In fact"?                   12:53:31

10          A        Okay.                   12:53:34

11          Q        Can you read that, please?                   12:53:35

12          A        "In fact, it is troubling that the authors                   12:53:36

13                   do not note a significant increase in                   12:53:39

14                   SAEs" --                   12:53:43

15          Q        Which means -- Which means what? Do you                   12:53:46

16                   know what SAEs means?                   12:53:49

17          A        Adverse events. I'm blocking on the S.                   12:53:51

18          Q        Serious adverse events.                   12:53:54

19          A        Serious adverse events. "After paroxetine                   12:53:56

20                   but not imipramine."                   12:53:59

21                   Wait. And, "In fact, it is troubling                   12:54:00

22                   that the authors do not note a significant                   12:54:03

23                   increase in serious -- in SAEs after                   12:54:05

24                   paroxetine (but not imipramine) relative to                   12:54:07

1 placebo (P less than .05) by Fisher's exact 12:54:10

2 test." 12:54:17

3 Q Okay. 12:54:18

4 So this reviewer finds it 12:54:19

5 obviously -- "troubling" the word he or she 12:54:23

6 uses that you as the authors didn't note the 12:54:26

7 significant increase in serious adverse 12:54:28

8 events after paroxetine use, correct? 12:54:30

9 MR. DAVIS: Objection to form. 12:54:32

10 Q Is that how you read it? 12:54:35

11 A Say that again, please? 12:54:38

12 Q Do you read that this reviewer's concern is 12:54:39

13 that you as the authors did not note the 12:54:44

14 signature increase in serious adverse events 12:54:48

15 that individuals in the study experienced 12:54:51

16 after taking Paxil? 12:54:54

17 A Relative to the placebo. 12:54:57

18 Q Right. 12:54:58

19 A Yes. That's what the person said. 12:54:59

20 Q Okay. 12:55:00

21 And -- and did you find in your read 12:55:01

22 of the data obtained from Study 329 that 12:55:06

23 there was a significant increase in serious 12:55:10

24 adverse events after individuals used Paxil? 12:55:12

1 A I'd have to go back to the article, but -- 12:55:18

2 but my gestalt memory is that, as we 12:55:20

3 concluded in the manuscript which was 12:55:26

4 published in the Journal of the America 12:55:30

5 Academy of Child and Adolescents Psychiatry, 12:55:35

6 that paroxetine is generally well tolerated 12:55:37

7 and effective for major depression in 12:55:40

8 adolescents. So generally well tolerated. 12:55:44

9 And if I go back to the adverse 12:55:46

10 events section, I don't think we were 12:55:48

11 concerned based on our findings about 12:55:50

12 serious adverse events. 12:55:55

13 And I would point out to you again 12:55:56

14 that each reviewer is a reviewer. They're 12:55:59

15 not necessarily, you know, a qualified, you 12:56:03

16 know, expert anymore so than anyone else. 12:56:05

17 Indeed, one of the biggest problems 12:56:09

18 journals have today is finding people to 12:56:12

19 review articles. 12:56:15

20 I typically have to send an article 12:56:15

21 out to 15 people before I can get three 12:56:18

22 people to agree to review them. 12:56:21

23 It's very tough. 12:56:22

24 Q I understand. 12:56:23

1 A So that the quality of the people of the 12:56:24  
2 reviews are mixed. 12:56:26

3 Q Okay. 12:56:27

4 A So if I get to the -- let me look at the 12:56:28  
5 adverse events. 12:56:31

6 Q Let me ask you this question: 12:56:32

7 A One second, please. 12:56:34

8 Q Well, there's no question pending. You've 12:56:36  
9 already answered my other question. 12:56:38

10 A Well, yes, my answer is I disagree. And as 12:56:40  
11 we state here, most -- most adverse events 12:56:44  
12 were not serious. 12:56:46

13 Q Most adverse events were not serious, okay. 12:56:47  
14 Do you believe that a fivefold 12:56:49  
15 increase in serious adverse events over a 12:56:50  
16 placebo is considered a significant increase 12:56:53  
17 in serious adverse events over placebo? 12:56:58

18 MR. DAVIS: Object to the form. 12:57:01

19 A It depends on the sample size. 12:57:05

20 Q Well, let's take the sample size in Study 12:57:07  
21 329. 12:57:09  
22 There was 275, correct, total in the 12:57:10  
23 study? 12:57:17

24 A If you could please be more specific about 12:57:17

1           which adverse events you're referring to?           12:57:20

2           Q       Well, let me ask you this:           12:57:26

3                     Do you know what a serious adverse           12:57:28

4                     event is?           12:57:30

5                     (Witness read document.)           12:57:33

6           A       Yes.           12:57:35

7           Q       Okay.           12:57:35

8                     I'm referring to serious adverse           12:57:38

9                     events, and that's a term of art that's used           12:57:40

10                    commonly in clinical studies, correct?           12:57:42

11          A       Yes.           12:57:45

12                    The problem with the term of art is           12:57:46

13                    that it's art and not science, so that the           12:57:47

14                    meaning is very variable.           12:57:53

15          Q       All right.           12:57:54

16                    Well, let's --           12:57:54

17          A       That's why I asked you to get specific.           12:57:55

18          Q       All right.           12:57:58

19                    Well, I want your definition of a           12:57:58

20                    serious adverse event. Don't you use           12:58:01

21                    that --           12:58:03

22          A       I consider it to be a catch phrase category           12:58:05

23                    that has -- doesn't have -- that is -- that           12:58:08

24                    is very -- it's a very --           12:58:15

1 I consider it to be a very poor 12:58:17

2 descriptive category. It's a -- it's a -- 12:58:21

3 you know, it's a bucket that things -- it's 12:58:23

4 a bucket category, and that in order for 12:58:26

5 it -- 12:58:28

6 In order to be meaningful, you need 12:58:29

7 to look at the individual event and to see 12:58:32

8 what, in fact, the event is. 12:58:34

9 Q Okay. 12:58:38

10 A You can take, for example, a digestive 12:58:39

11 system here and take diarrhea, and diarrhea 12:58:41

12 can be not a big deal, but diarrhea can be 12:58:43

13 the entire day. It can be totally disabling 12:58:46

14 as somebody, you know, with, you know, 12:58:49

15 Crohn's Disease would have. 12:58:53

16 So it can totally -- it can keep 12:58:55

17 you house -- diarrhea can keep you 12:58:58

18 housebound, or it can just be annoying. 12:58:59

19 So it -- 12:59:02

20 Q Okay. I understand what you're saying. 12:59:03

21 On page 769 of the article that you 12:59:04

22 published, do you see the top left paragraph 12:59:07

23 says, "Serious adverse events occurred." 12:59:11

24 Do you see that sentence? 12:59:14



1 A Yes. 12:59:15

2 (Telephone interruption.) 12:59:17

3 THE WITNESS: Can we take a break for 12:59:23

4 a second? 12:59:24

5 Q You need to answer that call? 12:59:25

6 A It's my daughter. Can I take break for a 12:59:27

7 second? 12:59:29

8 MR. COFFIN: Okay. 12:59:30

9 Why don't we go off the record? 12:59:30

10 THE VIDEOGRAPHER: The time is 2:00. 12:59:33

11 We're off the record. 12:59:36

12 (Luncheon recess.) 12:59:40

13 THE VIDEOGRAPHER: We are back on the 02:05:22

14 record. The time is seven minutes after 02:05:31

15 2:00. This is Tape 3. 02:05:34

16 BY MR. COFFIN: 02:05:36

17 Q Okay, Dr. Keller, when we left for our lunch 02:05:37

18 break, we were talking about serious adverse 02:05:41

19 events, and I had referred you to page 769 02:05:44

20 of the article that you published. 02:05:47

21 You see there where it says -- refers 02:05:49

22 to "Serious adverse events occurred in 11 02:05:51

23 patients in the paroxetine group, five in 02:05:54

24 the imipramine group and two in the placebo 02:05:56

1	group."	02:05:59
2	Do you see that?	02:06:00
3	A Yes.	02:06:01
4	Q Okay.	02:06:01
5	And so when you authored this	02:06:02
6	article, you clearly had a definition of	02:06:06
7	serious adverse events in mind, correct?	02:06:08
8	A As I -- as I said earlier, it's a -- --	02:06:14
9	the -- it's a -- it's just a bucket. It's	02:06:17
10	just a -- it's a rough category, and I don't	02:06:20
11	think there is --	02:06:26
12	You know, I don't think there's a	02:06:29
13	scale. I don't think there's a serious	02:06:30
14	scale that enables you to make a cutoff and	02:06:35
15	distinguish.	02:06:37
16	I think it's much more of an	02:06:38
17	impressionistic thing. And the reason why	02:06:39
18	that's I think so relevant is like when you	02:06:41
19	say summarizing in grouping, like the	02:06:44
20	sentence that you just read, serious adverse	02:06:47
21	events, 11 patients, and if I look at it,	02:06:51
22	they're spread over a wide variety of	02:06:55
23	categories of different organ systems.	02:06:58
24	So that what might be a serious	02:07:01

1 event, you know, in one organ in the system 02:07:03

2 may not be very relevant -- 02:07:08

3 I don't need to answer this unless 02:07:11

4 it's a -- 02:07:13

5 (Telephone interruption.) 02:07:13

6 A What may not be so relevant, you know -- 02:07:14

7 This -- you know, how you would 02:07:17

8 define it would be different. I gave you an 02:07:18

9 example of diarrhea, and so it's -- and 02:07:20

10 so -- 02:07:22

11 Q Well, certainly it would be defined in the 02:07:23

12 protocol for the study that you did, 02:07:25

13 correct? 02:07:27

14 A Even that, it's -- if we -- if we got the 02:07:29

15 protocol, if you -- if you would get it, I 02:07:31

16 would be happy to read it and then go 02:07:36

17 through individual events with you -- 02:07:37

18 individual types of events, because what I'm 02:07:39

19 trying to say, it's so different -- 02:07:44

20 Q All right. 02:07:46

21 A In other words, a serious cardiovascular 02:07:47

22 adverse event, you know, would be different 02:07:50

23 than a serious case of diarrhea. 02:07:52

24 Q Okay. 02:07:56

1                   Let me -- let me just ask you this.                   02:07:56

2           A        I'm not saying necessarily -- not just worse                   02:07:59

3                   or better, but just so different.                   02:08:01

4           Q        Okay.                   02:08:03

5                   You see Exhibit 14 which has been                   02:08:03

6                   marked in this case, and it is the -- it's                   02:08:05

7                   actually the protocol, final protocol for                   02:08:09

8                   Study 329.                   02:08:11

9           A        Okay.                   02:08:15

10          Q        If you refer to 7.5.1, do you see where that                   02:08:15

11                   defines a serious adverse event?                   02:08:20

12          A        I can read it to myself or --                   02:08:27

13          Q        Sure.                   02:08:28

14                   (Witness read document.)                   02:08:29

15          A        Yes.                   02:08:46

16          Q        Okay.                   02:08:46

17          A        See, this definition in here is so variable                   02:08:46

18                   that it's extraordinary. That's why I think                   02:08:51

19                   of it as a bucket that doesn't mean much                   02:08:53

20                   until you go after the individual.                   02:08:57

21                   So if you take the first sentence, "A                   02:08:58

22                   serious adverse event is any event which is                   02:09:01

23                   fatal, life-threatening, disabling,                   02:09:03

24                   incapacitating or results in                   02:09:06

1 hospitalization." 02:09:08

2 Okay, those sound really bad, right? 02:09:09

3 "In addition, any experience which 02:09:12

4 the investigator regards as serious or which 02:09:16

5 would suggest a significant hazard, 02:09:18

6 contraindication, side effect or precaution 02:09:21

7 with reuse of the drug may be reported as 02:09:24

8 serious." 02:09:27

9 So if I said something, you know, is 02:09:27

10 a, you know, a side effect, meaning, you 02:09:33

11 know, meaning that I'm developing a tremor 02:09:36

12 when I take the drug, that's so different 02:09:40

13 than a fatality or something which is 02:09:45

14 life-threatening but with something which is 02:09:47

15 disabling, that the category I don't -- is 02:09:51

16 not -- 02:09:54

17 It's too general to be meaningful, in 02:09:55

18 my opinion. 02:10:00

19 Q Well, this is -- 02:10:01

20 A There should be -- there should be -- the 02:10:02

21 distinctions should be much finer. 02:10:04

22 Q Okay. 02:10:06

23 And the protocol you're looking at in 02:10:07

24 that exhibit is a protocol for 329, correct? 02:10:08

1 A Yes. 02:10:12

2 Q And that's a scientific study, correct? 02:10:12

3 A Yes. 02:10:16

4 Q Okay. 02:10:16

5 And so it's your goal in the 02:10:17

6 scientific study to have the investigators 02:10:21

7 all be on the same pages with regard to what 02:10:24

8 variables are being measured, correct? 02:10:29

9 A Yes. 02:10:31

10 Q And you want all the scientists to also 02:10:32

11 understand and have the same definition of a 02:10:34

12 serious adverse event, correct? 02:10:37

13 A Yes. 02:10:41

14 Q Okay. 02:10:43

15 A But the category here and the convention -- 02:10:43

16 and the convention that's used, in my 02:10:44

17 opinion, is not useful at all. 02:10:50

18 Q Okay. 02:10:53

19 A It's useful -- I don't want to be 02:10:53

20 misinterpreted to say it's -- it's 02:10:55

21 essential. It's essential to know if 02:10:58

22 something is fatal. It's essential to know 02:11:02

23 if it's life-threatening. It's essential to 02:11:04

24 know if it's disabling; and, yes, it's 02:11:07

1 essential to know whether it's a side effect 02:11:09

2 or precaution. 02:11:12

3 But those are so different that I 02:11:12

4 don't think it's -- I don't -- it's the 02:11:14

5 interpretation of it. 02:11:17

6 In other words, I can understand why 02:11:18

7 you want to pick up and grab everything that 02:11:20

8 looks like it might be important and dump it 02:11:22

9 in a pot; but when you then go to interpret 02:11:24

10 it and analyze it, you have to separate out 02:11:27

11 the individual events, as we did in the 02:11:30

12 manuscript on page 769, where I believe you 02:11:34

13 either asked me to read the first sentence 02:11:40

14 or you read it for me, in which you said 02:11:41

15 there were serious adverse events in 11 02:11:44

16 patients in paroxetine, five in imipramine 02:11:46

17 and two in placebo, and then we go on to 02:11:49

18 give, you know, with a number -- we describe 02:11:52

19 the event and give the number of patients 02:11:56

20 with that event. 02:11:59

21 That's where I believe it becomes 02:12:01

22 meaningful. And if you look at those, 02:12:04

23 you'll see how varied they are. 02:12:06

24 So five serious events in the 02:12:09

1 imipramine group consisted of a 02:12:11

2 maculopapular rash -- 02:12:12

3 Q All right. 02:12:15

4 I don't need you to go through each 02:12:15

5 and every one. 02:12:17

6 A I'm just trying to -- I think this is -- you 02:12:18

7 know, to the extent that this is such a -- 02:12:19

8 an important matter with regard to the issue 02:12:23

9 at hand and a matter with regard to 02:12:29

10 interpreting and understanding what you're 02:12:32

11 asking me, I just -- I just want -- I just 02:12:34

12 want to be clear. 02:12:37

13 Q You want to explain. I just don't want to 02:12:37

14 go through every specific -- 02:12:39

15 A All right. 02:12:40

16 Q We'll be here for five more days if we have 02:12:41

17 to go through all the specific things on 02:12:43

18 every question. 02:12:45

19 A I didn't know you could stay. 02:12:45

20 Q I might. I might have to. 02:12:49

21 (Laughter.) 02:12:50

22 A Cancel his flight. 02:12:51

23 Q Let me ask you this: 02:12:55

24 Were you aware -- first of all, do 02:12:56



1	you -- are you familiar with the term	02:12:58
2	"suicidality"?	02:13:00
3	A Yes.	02:13:01
4	Q Okay.	02:13:01
5	And were you aware that the results	02:13:02
6	from Study 329 found a fivefold increase in	02:13:06
7	suicidality in adolescents involved in the	02:13:10
8	study?	02:13:14
9	MR. DAVIS: Object to the form.	02:13:14
10	It's vague and ambiguous.	02:13:17
11	A Yes. You know, there again, maybe you can	02:13:19
12	tell me where I could find it in the paper.	02:13:30
13	Q I'm asking you if you're aware --	02:13:32
14	A I don't remember the specifics.	02:13:34
15	Q Okay.	02:13:35
16	Well, have you at any time been	02:13:35
17	informed through literature, presentations,	02:13:37
18	speaking with colleagues that the results of	02:13:39
19	Study 329 indicated that those adolescents	02:13:42
20	who use Paxil are at a five-times greater	02:13:47
21	risk at experiencing suicidality than those	02:13:52
22	on placebo?	02:13:55
23	MR. DAVIS: Object to the form.	02:13:56
24	There's no foundation that that's	02:13:56

1 reflected in the article or the data you're 02:13:58  
2 talking about. 02:14:00  
3 A I don't understand your question. 02:14:00  
4 You're saying in this -- in these 02:14:01  
5 data? 02:14:03  
6 Q No, I'm asking -- let me ask you this: 02:14:04  
7 The question is, in the data that was 02:14:06  
8 obtained from Study 329, have you ever 02:14:08  
9 learned through publication, through 02:14:11  
10 presentation or through speaking with 02:14:14  
11 colleagues that the data indicated that 02:14:16  
12 there is a fivefold increase in suicidality 02:14:20  
13 for those adolescents who took Paxil as 02:14:24  
14 opposed to placebo? 02:14:27  
15 MR. DAVIS: Same objection. 02:14:29  
16 A Are you saying -- are you saying that we 02:14:30  
17 reported that in the paper? 02:14:31  
18 Q I'm asking you have you ever heard ever that 02:14:33  
19 there is a fivefold increase -- this data 02:14:36  
20 shows -- the data from 329 shows there's a 02:14:39  
21 fivefold increase in suicidality in those 02:14:42  
22 adolescents who take Paxil compared to 02:14:45  
23 placebo? 02:14:48  
24 MR. DAVIS: Same objection. 02:14:49

1 Q Have you ever heard that? 02:14:49

2 A I'm not aware -- I don't believe that that's 02:14:50

3 reported in this paper. 02:14:55

4 Q No. 02:14:56

5 I'm asking you if you've ever heard 02:14:56

6 it. 02:14:59

7 A No. 02:14:59

8 Q I'm not asking about the paper. 02:14:59

9 A No. 02:15:01

10 Q You've never heard of that? 02:15:01

11 A No. 02:15:02

12 Q Has -- 02:15:03

13 A I do know -- I do know that data was -- data 02:15:03

14 from -- at some point it came to my 02:15:09

15 attention that almost every -- or that every 02:15:14

16 pharmaceutical company that had an SSRI, and 02:15:17

17 eventually including the companies that had 02:15:23

18 SNRIs as well, the dual reuptake inhibitors, 02:15:26

19 had to turn over all of -- either had to 02:15:31

20 analyze themselves or turn over their data 02:15:33

21 for someone else to analyze. I don't know 02:15:36

22 which of the two. 02:15:37

23 And I do know that there were 02:15:40

24 extensive FDA meetings and hearings to -- in 02:15:41

1           which people were called in -- and this is           02:15:47

2           within the past -- I don't know when, two           02:15:51

3           years ago, somewhere around two to four           02:15:52

4           years ago, and that definite --           02:15:55

5                        There was enormous debate and           02:15:59

6           discussion about the definition of           02:16:00

7           suicidality.           02:16:02

8                        And eventually -- because I saw some           02:16:05

9           reports from hearings. And eventually,           02:16:07

10          excuse me, the data was --           02:16:09

11                        I don't know exactly how it worked,           02:16:12

12          but eventually a group at Columbia           02:16:13

13          Presbyterian or led by people there were           02:16:16

14          asked to take charge of reanalyzing the data           02:16:19

15          from the -- from all of the SSR studies, I           02:16:22

16          believe.           02:16:26

17                        I don't know the outcomes of that,           02:16:27

18          but I do know that the definitions that were           02:16:29

19          arrived at at that period varied from the           02:16:35

20          definitions that were reported in any number           02:16:40

21          of the studies that were done.           02:16:44

22                        In other words, they tried to create           02:16:46

23          a consensus, you know, a consensus           02:16:48

24          definition.           02:16:50

1                   So I know that there was activity in                   02:16:51

2                   that regard, but I never -- I did not learn                   02:16:53

3                   anything specific about this study.                   02:17:00

4       Q       Okay.                   02:17:05

5                   So you aren't aware whether --                   02:17:06

6       A       Except, except that there's an article which                   02:17:07

7                   was produced -- not an article. There was a                   02:17:09

8                   draft --                   02:17:15

9                   There was an article or a draft of an                   02:17:16

10                  article that I -- I don't remember if I                   02:17:18

11                  produced it.                   02:17:20

12                  MR. GREEN: You produced it.                   02:17:22

13       A       The documents that I produced that I assume                   02:17:23

14                  you're probably going to ask about at some                   02:17:26

15                  point that was -- that combined the results                   02:17:27

16                  from several studies of -- that were                   02:17:33

17                  conducted that included Paxil.                   02:17:37

18                  And I did see that article, and I do                   02:17:39

19                  remember -- though I can't remember now, I'd                   02:17:42

20                  have to get into it, I do remember having                   02:17:44

21                  concerns that the way things were being                   02:17:47

22                  reported represented a difference from what                   02:17:52

23                  we found, because different definitions were                   02:17:56

24                  used, and that was not made explicit in that                   02:17:58

1 report. 02:18:00

2 So, you know, as we're talking now, I 02:18:01

3 do have a memory of that. With regard to 02:18:06

4 the specifics of what it was and what's in 02:18:07

5 that article, I don't remember. 02:18:09

6 So if you were to show me that 02:18:11

7 article and if that article were to show 02:18:13

8 rates of suicidality, you know, differences 02:18:17

9 in suicidality rates between Paxil and 02:18:19

10 placebo that are different than what we 02:18:25

11 reported, what I would say to you, yes, I 02:18:27

12 read that material. I don't remember what 02:18:31

13 the findings are so -- 02:18:32

14 MR. DAVIS: I'm sorry. 02:18:37

15 A Is that -- so I'm trying to be responsive by 02:18:37

16 saying I do know something about it. 02:18:39

17 I don't remember the details. I 02:18:41

18 don't remember the specifics. And the 02:18:42

19 number fivefold doesn't -- is not something 02:18:45

20 I remember at all. 02:18:47

21 Q Okay. 02:18:48

22 MR. DAVIS: We designate discussions 02:18:49

23 about the draft manuscript as confidential 02:18:50

24 pursuant to the protective order. 02:18:53

1 MR. COFFIN: Okay. 02:18:55

2 Q Let's look at this Reviewer No. 2's -- 02:18:59

3 continuing the same paragraph we were 02:19:02

4 looking at, Reviewer No. 2 of the JAMA 02:19:03

5 reviewers. 02:19:06

6 MR. GREEN: Exhibit 29. 02:19:07

7 A Which page, Chris? 02:19:09

8 Q I'm sorry, it's Exhibit -- yes, I think it's 02:19:11

9 29, page 6. 02:19:12

10 A Okay. 02:19:14

11 Q Do you see where it -- where it reads, 02:19:37

12 "However"? 02:19:39

13 It's in the middle of the paragraph 02:19:40

14 on the left side. 02:19:40

15 A The first -- top paragraph? 02:19:45

16 Q Yes, top paragraph, left side, about halfway 02:19:48

17 down. 02:19:50

18 A Okay, I see "however." 02:19:50

19 Q It says, "However, given the high rate of 02:19:51

20 primary care prescription of antidepressants 02:19:53

21 and the readership of JAMA, it is important 02:19:54

22 to emphasize the behavioral side effects in 02:20:02

23 the minority of patients treated with 02:20:02

24 paroxetine may be more serious than with 02:20:03

1 TCAs." 02:20:06

2 Do you agree with that? 02:20:08

3 A I don't think it's accurate, no. 02:20:13

4 Q Okay. 02:20:16

5 And do you agree that there's a high 02:20:17

6 rate of primary care providers who prescribe 02:20:19

7 antidepressants to adolescent population? 02:20:22

8 A I don't know if it's true with regard to 02:20:27

9 adolescents. 02:20:30

10 I do know with regard to adults, I 02:20:31

11 think we covered this -- 02:20:38

12 Q We did. 02:20:39

13 A -- that a high proportion of antidepressant 02:20:39

14 prescriptions are done by primary care 02:20:44

15 physicians. 02:20:47

16 I don't know if it's -- where -- I 02:20:50

17 can't tell you how the percentages compare 02:20:53

18 to psychiatrists, but it is -- it is a 02:20:57

19 meaningfully high proportion of the 02:20:59

20 antidepressant prescriptions in a primary 02:21:01

21 care setting in adults. 02:21:04

22 I don't know about children. And, 02:21:06

23 actually, we did talk about -- 02:21:07

24 Q Right. 02:21:09



1                   You just don't know one way or the                   02:21:10

2                   other how the numbers fall out for                   02:21:11

3                   prescriptions of -- with children --                   02:21:13

4       A       All right, yes, we did talk about it. I                   02:21:15

5                   said I think the primary care doctors who                   02:21:18

6                   specialize in adolescent medicine are                   02:21:20

7                   probably much more likely to prescribe than                   02:21:23

8                   those who are general.                   02:21:25

9                   And I do believe there's a reluctance                   02:21:26

10                  on the part of primary care docs to treat                   02:21:28

11                  adolescents and children with depression.                   02:21:30

12       Q       Right.                   02:21:33

13       A       But that's all.                   02:21:33

14       Q       Okay.                   02:21:46

15                  You see there's also another                   02:21:47

16                  paragraph after the suggested revisions?                   02:21:49

17       A       Uh-huh, I do.                   02:21:53

18       Q       Do you see the second line there?                   02:21:55

19       A       "It is also easier to assume"?                   02:21:56

20       Q       Yes, but see the second line on that                   02:21:58

21                  paragraph?                   02:22:00

22                  You could please read that?                   02:22:00

23       A       "Visits with experts in the treatment of                   02:22:02

24                  adolescent depression"?.                   02:22:05

1 Q No, "The authors." 02:22:07

2 A Oh. 02:22:09

3 "The authors do not sufficiently 02:22:09

4 highlight that the level of psychological 02:22:11

5 treatment provided in this study is much 02:22:14

6 more intense than that covered by almost 02:22:16

7 every healthcare insurance plan and far 02:22:18

8 exceeds the usual time spent between a 02:22:21

9 primary care physician and a depressed 02:22:24

10 patient given continuing pressure 02:22:27

11 from third-party payers and ongoing 02:22:30

12 discrimination against psychiatric patients 02:22:32

13 and psychiatric treatment (provided by 02:22:35

14 generalists or psychiatrists)." 02:22:38

15 Q Do you agree with that statement? 02:22:40

16 A No. 02:22:46

17 Q And that's by a different reviewer than the 02:22:46

18 reviewer we went through before who also 02:22:50

19 commented on the effect in the study being 02:22:54

20 related to good clinical management and not 02:23:01

21 the medication, correct? 02:23:04

22 A But the part of this -- 02:23:06

23 Q Is that correct? 02:23:07

24 A Excuse me? 02:23:08

1 Q Is that correct? It's a different reviewer? 02:23:09

2 A I don't remember. You'd have to -- I 02:23:11

3 mean -- 02:23:12

4 Q You don't remember? 02:23:14

5 A No. 02:23:15

6 Q Well, this is Reviewer No. 2. 02:23:17

7 A Okay. 02:23:19

8 Q Right? 02:23:19

9 A Right. 02:23:20

10 Q And it was Reviewer No. 1, if you flip back 02:23:20

11 to page 2, who also commented on the bulk of 02:23:22

12 the effect in the study was the result of 02:23:27

13 good clinical management and not medication, 02:23:29

14 correct? 02:23:32

15 A Yes, two different reviewers. 02:23:32

16 Now, the part of this that I think is 02:23:34

17 just -- for which there's absolutely no 02:23:36

18 evidence for the reviewer to state this is 02:23:39

19 the -- what you asked me to read: 02:23:42

20 "The level of psychological treatment 02:23:43

21 provided in this study is much more intense 02:23:44

22 than that covered by almost every healthcare 02:23:49

23 insurance plan and far exceeds"... 02:23:52

24 Now, I -- I can't imagine what the 02:23:54

1 factual basis is for that statement. I 02:23:59

2 mean, I just can't imagine it. 02:24:01

3 I mean, I would like to see the 02:24:03

4 evidence that this reviewer draws on. I'd 02:24:05

5 like to see the source of data and evidence 02:24:09

6 that this reviewer draws on in a scientific 02:24:11

7 way to support the premise that -- that the 02:24:14

8 amount of psychological treatment provided 02:24:18

9 in this study is both more intense as well 02:24:21

10 as -- than that covered by almost every 02:24:25

11 insurance -- you know, than every insurance 02:24:28

12 plan. 02:24:30

13 Because, in fact -- and I'd also like 02:24:31

14 to see insurance plan records that prescribe 02:24:33

15 the intensity of the psychological treatment 02:24:36

16 you can give. 02:24:39

17 I mean, I am not aware -- I have 02:24:40

18 never seen an insurance plan that 02:24:42

19 prescribes -- that tells a primary, whatever 02:24:45

20 he calls it, that tells a doctor the 02:24:47

21 intensity with which they're allowed to give 02:24:51

22 psychological treatment. 02:24:54

23 Q Do you understand that the point of this 02:24:57

24 reviewer's comment and the other reviewer's 02:24:58

1 comment is that the therapy or clinical 02:25:00  
2 management that was performed in Study 329 02:25:03  
3 is far different than that that you actually 02:25:07  
4 see in the clinical setting outside of a -- 02:25:10  
5 of a clinical study? 02:25:12  
6 A I read -- I -- the statement that you made 02:25:15  
7 appears to be accurate that that's their 02:25:19  
8 point. 02:25:22  
9 Q But you disagree with this? 02:25:22  
10 A But when you ask me if I agree, I am telling 02:25:24  
11 you that I disagree, and I'm giving you my 02:25:26  
12 reason. And I would challenge you or anyone 02:25:28  
13 else to show me the data that supports this 02:25:33  
14 statement. 02:25:36  
15 I just -- I just -- to me, I would be 02:25:36  
16 stunned if anybody could produce any data 02:25:39  
17 that -- in an insurance plan which says -- 02:25:44  
18 which prescribes the level of intensity of 02:25:47  
19 treatment that a primary care physician is 02:25:51  
20 allowed to give. 02:25:54  
21 Q Yes. 02:25:55  
22 But the point -- the point is -- 02:25:55  
23 A This is just plain wrong. 02:25:56  
24 Q Well, that's your opinion; but there's two 02:25:58

1 reviewers who are basically -- 02:25:59

2 A Well, no, no, no, it's not -- 02:26:01

3 Q There are two reviewers who are making the 02:26:02

4 same point about -- about data that was 02:26:04

5 published by you and other authors showing 02:26:07

6 that -- their point is that the clinical 02:26:09

7 trial setting cannot be carried over into 02:26:11

8 clinical practice. 02:26:14

9 A But that's not what they'd they said, Chris, 02:26:15

10 and we should live in a world of evidence; 02:26:18

11 and I assume that the jury in this case 02:26:19

12 want -- would want to see the evidence. 02:26:22

13 Q Absolutely. And they will. 02:26:24

14 A And I would encourage you to present the 02:26:26

15 evidence by showing a healthcare insurance 02:26:30

16 plan -- and I would appreciate it if you 02:26:34

17 send me a copy of a healthcare insurance 02:26:35

18 plan that says what the level of intensity 02:26:38

19 is of psychological treatment that primary 02:26:41

20 care doctors should use. 02:26:44

21 I've never seen it. 02:26:45

22 Q But you're -- that's -- that's not the point 02:26:46

23 of what either reviewer is saying. 02:26:48

24 A That is the point. 02:26:49

1	Q	No.	02:26:50
2	A	That is exactly the point.	02:26:51
3	Q	The point --	02:26:52
4	A	I'm quoting. Chris, I'm giving a quote.	02:26:52
5	Q	I hear what you're saying. I hear what	02:26:55
6		you're saying.	02:26:57
7	A	Well, if a quote isn't the point --	02:26:58
8	Q	Let me ask you this --	02:26:59
9	A	-- then was it was maladroitly stated and it	02:26:59
10		wasn't -- it was -- it's wrong. It's just	02:27:04
11		wrong.	02:27:06
12	Q	You didn't agree with the point that either	02:27:08
13		reviewer made about the use of case	02:27:10
14		management therapy actually being different	02:27:13
15		than that that is in the primary care	02:27:17
16		setting; is that correct?	02:27:19
17	A	What I said earlier is a matter of record.	02:27:20
18	Q	You didn't agree with that?	02:27:22
19	A	I don't -- I'm not going to go back and give	02:27:23
20		a generalization. I'm dealing with a	02:27:25
21		specific point --	02:27:28
22	Q	I'm asking you a question.	02:27:28
23	A	What's the question?	02:27:29
24	Q	The deposition is I ask you the question and	02:27:30





1 they get to hear testimony. All right. 02:28:33

2 MR. COFFIN: In the interest of time, 02:28:34

3 of which we have very little, Mr. Murgatroyd 02:28:36

4 is going to take over questioning. 02:28:40

5 I need to catch a flight, but we'll 02:28:42

6 obviously reserve our right, as we've 02:28:44

7 discussed earlier, to come back and talk to 02:28:46

8 you about this same subject material at a 02:28:48

9 later date. 02:28:52

10 So let's go off the record, and 02:28:53

11 Mr. Murgatroyd will take over. 02:28:54

12 THE VIDEOGRAPHER: Okay. The time is 02:28:56

13 2:30. We are off the record. 02:28:57

14 (Recess.) 02:28:59

15 THE VIDEOGRAPHER: Stand by. We're 02:31:47

16 back on the record. The time is 2:33. 02:31:48

17 CONTINUED EXAMINATION 02:31:49

18 BY MR. MURGATROYD: 02:31:51

19 Q Okay. 02:31:52

20 Doctor, before I go into some 02:31:52

21 questions that I've laid out, I want to pick 02:31:54

22 up on the question Mr. Coffin asked you 02:31:56

23 about the fivefold increase of the Paxil 02:31:59

24 kids experiencing suicidality over the 02:32:01

1 placebo kids. 02:32:05

2 Do you recall those questions? 02:32:06

3 A I do. 02:32:07

4 Q And you said that you weren't -- you weren't 02:32:07

5 particularly aware of that except for with 02:32:08

6 regard to a manuscript that was sent to you 02:32:10

7 in confidence by GSK? 02:32:12

8 A Correct. 02:32:14

9 Q That actually is not correct, though. 02:32:15

10 I mean, you actually -- this was an 02:32:16

11 issue that had been presented to you by a 02:32:18

12 number of different reporters that you 02:32:20

13 personally responded to; is that correct? 02:32:22

14 MR. DAVIS: Object to the form. 02:32:24

15 A I don't remember. 02:32:25

16 Q Well -- 02:32:26

17 A If -- let me -- I'm saying I don't remember 02:32:27

18 if, in fact, there is -- 02:32:28

19 When you say "reporters," if it's in 02:32:37

20 the materials that I produced, then -- 02:32:38

21 Q It is, indeed. Let's take a look at it. 02:32:42

22 A I'm happy to go over it. 02:32:44

23 (Exhibit No. 31 marked for 02:32:45

24 identification.) 02:32:45

1 BY MR. MURGATROYD: 02:32:45

2 Q Great. I'm handing you Exhibit 31. 02:32:46

3 Take your time, look at that; and 02:32:49

4 then after you've read it, we'll identify it 02:32:51

5 for the record. 02:32:54

6 (Witness read document.) 02:32:55

7 MR. DAVIS: I'd like to look at that, 02:32:58

8 too, before you question him. It won't take 02:32:59

9 very long for me to look at it. 02:33:02

10 I would also designate the portion of 02:33:03

11 the transcript dealing with the draft 02:33:06

12 manuscript that he just referenced as 02:33:07

13 confidential pursuant to the protective 02:33:10

14 order. 02:33:12

15 MR. MURGATROYD: Okay by me. 02:33:15

16 MR. GREEN: I'll add that to the list 02:33:17

17 of things I won't talk about. 02:33:18

18 A I'm a little confused by the different 02:34:57

19 emails buried in here. This is all one -- 02:34:59

20 Q I got it from you, so -- 02:35:03

21 A No, no, no. 02:35:04

22 I'm trying to reorient myself. I 02:35:04

23 assume this is all one string? 02:35:06

24 Q I'm giving it to you the way it was produced 02:35:09

1	to me by your lawyer.	02:35:11
2	A I know.	02:35:13
3	Q Normally that's the case.	02:35:14
4	A Normally. I'm trying to line up the dates.	02:35:18
5	(Witness read document.)	02:35:32
6	A Okay.	02:36:33
7	Q Have you had a chance to review that	02:36:34
8	document?	02:36:36
9	A Yes.	02:36:37
10	Q Does that refresh your recollection that, in	02:36:37
11	fact, you were familiar with the fivefold	02:36:38
12	increase of Paxil patients over placebo	02:36:40
13	patients in their study?	02:36:42
14	MR. DAVIS: May I review it, pursuant	02:36:44
15	to my request?	02:36:45
16	Thanks, Doctor, before you answer	02:36:47
17	that...	02:36:49
18	(Counsel read document.)	02:36:50
19	A Do you have one of those in bigger print?	02:37:04
20	Q It's all I've got. It's only what you gave	02:37:07
21	me.	02:37:10
22	MR. GREEN: Blame me.	02:37:10
23	Q Doctor, do you recall the question that was	02:38:18
24	pending?	02:38:19

1 A One second. 02:38:23

2 (Witness read document.) 02:38:27

3 A I would appreciate it if you repeat it. 02:38:37

4 MR. MURGATROYD: I'll have the court 02:38:40

5 reporter read it back to you. 02:38:40

6 (Record read as requested.) 02:38:41

7 A Well, at the time -- no. 02:39:05

8 At the time Mr. -- Chris asked me the 02:39:12

9 question, I didn't recall this. So now that 02:39:17

10 I'm reading it, I -- I know that this is me 02:39:21

11 and I wrote it and I know I interacted with 02:39:29

12 it. 02:39:33

13 I mean, this is obviously something 02:39:33

14 that I was -- read carefully and -- 02:39:35

15 My understanding of what's written 02:39:53

16 here is that it was in the context of I 02:39:55

17 guess this report is -- questions, which is 02:40:03

18 sometime in 2005, that the issue of rates of 02:40:09

19 suicidality was examined. 02:40:12

20 It's -- from reading this, I believe 02:40:16

21 my initial response was that the rate of 6.5 02:40:18

22 to 1 had to do with emotional lability, 02:40:24

23 which was in the table. 02:40:29

24 What I believe to be the case, 02:40:32

1           because I've cross-referenced the article           02:40:33

2           since we -- we give examples of emotional           02:40:35

3           lability.           02:40:39

4                     What I believe is the case is that           02:40:41

5           sometime -- at some point during the period           02:40:43

6           of time when there's various bodies,           02:40:45

7           external bodies, FDA and others, were           02:40:49

8           looking at this data, and I don't know the           02:40:52

9           process, but I believe GSK or someone else           02:40:59

10          went -- went -- reviewed the data and in           02:41:02

11          this instance examined -- from my           02:41:07

12          understanding, examined the narrative           02:41:15

13          reports that were written down and then had           02:41:17

14          those reviewed by -- by, you know, some           02:41:19

15          consensus group of individuals to define           02:41:26

16          what was considered suicidality.           02:41:32

17                     So I don't -- I'm not sure that I           02:41:33

18          fully understand your question, Skip; but           02:41:36

19          what I think is going on here is that this           02:41:39

20          issue and discussion of it occurred           02:41:41

21          around -- around these dates.           02:41:45

22          Q        Okay. Well, let's authenticate the document           02:41:47

23          first.           02:41:49

24                     I think you agree that that is a           02:41:50

1	document that you produced in this	02:41:51
2	litigation?	02:41:53
3	A Yes.	02:41:53
4	Q Okay.	02:41:54
5	And you wrote that document?	02:41:54
6	A It's an email that I wrote.	02:41:57
7	Q Okay.	02:41:59
8	And you received that in the ordinary	02:42:00
9	course of your business?	02:42:01
10	A Yes.	02:42:03
11	Q Or wrote that?	02:42:03
12	A Yes.	02:42:05
13	Q Okay.	02:42:05
14	And does it discuss a fivefold	02:42:05
15	increase in suicidality in paroxetine	02:42:08
16	patients over kids who took placebo?	02:42:10
17	MR. DAVIS: Object to the form.	02:42:14
18	(Witness read document.)	02:42:17
19	A What -- I'm sorry, repeat the question.	02:42:36
20	MR. MURGATROYD: Can you read the	02:42:40
21	question back, please?	02:42:40
22	(Record read as requested.)	02:42:41
23	A Yes, it discusses it.	02:42:53
24	Q Okay.	02:42:54

1                   Now, you agree that your study, 329,                   02:42:55

2                   did show a fivefold increase of suicidality                   02:43:01

3                   of kids taking Paxil over placebo?                   02:43:04

4                   That's not in dispute, is it?                   02:43:08

5                   MR. DAVIS: Object to the form.                   02:43:10

6                   A    What I believe to be the case is that -- I'm                   02:43:11

7                   having trouble fighting through the language                   02:43:17

8                   here.                   02:43:18

9                   What I believe to be the case is that                   02:43:18

10                  what -- what we found and reported was a                   02:43:25

11                  fivefold increase in emotional lability.                   02:43:31

12                  And within the category of emotional                   02:43:36

13                  lability, it included suicidal ideation,                   02:43:40

14                  suicide attempts. I believe it included                   02:43:44

15                  worsening of depression. I believe it                   02:43:47

16                  included changes of mood. And that was the                   02:43:48

17                  information that we had at that time.                   02:43:50

18                  So I -- unless I'm really missing                   02:43:58

19                  something here...                   02:44:00

20                  Q    I'm asking as of you sit here today, not as                   02:44:03

21                  of that time.                   02:44:05

22                  Do you agree that now that the data                   02:44:06

23                  is available, that Study 329 showed at least                   02:44:09

24                  a fivefold increase of suicidality in kids                   02:44:14



1 taking Paxil over those kids who were taking 02:44:17

2 placebo? 02:44:20

3 MR. DAVIS: Object to the form. 02:44:21

4 A I -- I -- it's my understanding that based 02:44:47

5 on the redefinition of suicidality through 02:44:57

6 this process that occurred sometime -- 02:45:04

7 sometime around two to three years ago, 02:45:06

8 that -- and reanalysis of this data 02:45:09

9 somewhere around this time, that using those 02:45:14

10 definitions and that reanalysis, that the 02:45:18

11 rates of suicidality on paroxetine compared 02:45:20

12 to placebo are in the fivefold range. 02:45:25

13 Q Okay. 02:45:31

14 And when you were doing the clinical 02:45:32

15 trial, during the course of the clinical 02:45:34

16 trial, you -- your site -- you had a site, 02:45:36

17 correct? 02:45:39

18 A Yes. 02:45:40

19 Q Okay. 02:45:40

20 And you were were responsible for 02:45:41

21 that site, correct? 02:45:43

22 A Yes. 02:45:44

23 Q And you had suicidality events occur among 02:45:44

24 the kids at your site, right? 02:45:47

1 MR. DAVIS: Object to the form. 02:45:50

2 A I don't recall what was specific to our 02:45:52

3 site. I believe in the end run, there were 02:45:57

4 15 sites in the study. 02:46:00

5 Q Right. 02:46:02

6 I'm asking about your site. 02:46:03

7 A And I -- 02:46:04

8 Q Do you recall the suicide events that 02:46:05

9 occurred in your site? 02:46:07

10 MR. DAVIS: Object to the form. 02:46:08

11 A I do not recall it. If there were any 02:46:09

12 suicide events at our site, we would have 02:46:12

13 filled out incident reports and submitted 02:46:14

14 those to the institutional review boards 02:46:17

15 that, you know, had -- what's the word, you 02:46:20

16 know, had governed the study. 02:46:26

17 And we had at least three 02:46:28

18 institutional review boards that got -- that 02:46:30

19 got each event. 02:46:33

20 So we would have to go back through 02:46:34

21 the study records from the site at Brown, 02:46:36

22 which was also conducted at two other 02:46:40

23 hospitals, Butler and Rhode Island -- and 02:46:43

24 Lifespan Hospitals, and see which ones. 02:46:45

1 I don't remember what -- 02:46:47

2 Q Okay. 02:46:50

3 A What happened at our site. 02:46:51

4 Q Okay. 02:46:52

5 A I'm not saying there werent. 02:46:52

6 Q Okay. That's all. 02:46:54

7 A But I'm sure if there are, we reported -- we 02:46:55

8 did a full-blown incident report. 02:46:58

9 Q That's fine. 02:47:00

10 And we'll get into that at our next 02:47:00

11 session, because I will show you documents 02:47:03

12 that show there were such incidents at your 02:47:05

13 site. 02:47:07

14 But my question is, when you reported 02:47:07

15 those suicide events, did you code them 02:47:09

16 personally as emotional lability? 02:47:11

17 Is that something you were told to 02:47:15

18 do? 02:47:16

19 A No, I didn't -- I did not do any coding. 02:47:16

20 (Exhibit No. 32 marked for 02:47:18

21 identification.) 02:47:18

22 BY MR. MURGATROYD: 02:47:18

23 Q Okay. 02:47:18

24 Now, let me show you what I've marked 02:47:19

1 as Exhibit 32. 02:47:21

2 MR. DAVIS: Can I see that before you 02:47:22

3 hand it to -- 02:47:23

4 May I see that, Doctor, before you 02:47:24

5 look at it? Thanks. 02:47:27

6 (Counsel read document.) 02:47:29

7 MR. DAVIS: This document has already 02:47:33

8 been dedesignated as not being subject to 02:47:34

9 the protective order, but it still bears the 02:47:36

10 legend on it. 02:47:39

11 MR. MURGATROYD: You've got to send 02:47:42

12 me a new one. 02:47:43

13 MR. DAVIS: I think I did. 02:47:43

14 MR. MURGATROYD: Did you? My -- my 02:47:45

15 fault. 02:47:47

16 (Witness read document.) 02:47:47

17 A I've read it quickly to get the gist. 02:48:08

18 Q That's fine. 02:48:10

19 You see that it is an email from the 02:48:11

20 FDA to GSK. 02:48:12

21 Do you recognize that as being so? 02:48:14

22 A I'm just trying to find the "from" and "to." 02:48:21

23 From David Paul? 02:48:24

24 Q Yes. 02:48:25

1                   You see where it says cedr.fda.gov at                   02:48:25

2                   the top?                   02:48:32

3                   Right here, right here.                   02:48:36

4       A       Oh, David Paul --                   02:48:38

5       Q       Right.                   02:48:40

6       A       -- at cderf [sic] -- yes, yes, yes, yes,                   02:48:40

7                   yes.                   02:48:42

8       Q       Okay.                   02:48:43

9                   And it's addressed to Jim Murray,                   02:48:43

10                  correct, at GSK?                   02:48:45

11       A       Yes.                   02:48:46

12       Q       Okay.                   02:48:46

13                  And you see that it references Paxil                   02:48:47

14                  329 at the bottom?                   02:48:48

15       A       Yes.                   02:48:51

16       Q       And it has in quotes "possibly                   02:48:52

17                  suicide-related."                   02:48:56

18                  Do you see that?                   02:48:58

19       A       Yes.                   02:48:58

20       Q       And do you see that it has a risk ratio?                   02:48:59

21       A       Yes.                   02:49:01

22       Q       And what is that risk ratio?                   02:49:01

23       A       5.9.                   02:49:03

24       Q       So that's actually a six -- almost sixfold                   02:49:04

1           increase of suicidality in kids taking Paxil           02:49:07

2           over placebo?           02:49:11

3    A       Well, no, but --           02:49:12

4                   Skip, with -- what this says is           02:49:14

5           possibly -- possibly suicide-related. It           02:49:18

6           doesn't say suicide event.           02:49:21

7    Q       Okay.           02:49:23

8                   It says possible suicide-related?           02:49:23

9    A       It says possible. There's a big difference           02:49:26

10          between possible and suicide.           02:49:27

11   Q       Okay.           02:49:29

12                   And it's an increased rate now. Now           02:49:29

13          we're seeing a sixfold rate instead of a           02:49:31

14          fivefold rate?           02:49:33

15   A       But -- no, Skip, please. It says possible.           02:49:35

16          Possible isn't a suicide attempt. It is           02:49:39

17          possibly suicide-related.           02:49:43

18                   So there's a big difference. To           02:49:45

19          qualify "possibly" is enormous. It's an           02:49:50

20          adjective which qualifies it, so it doesn't           02:49:52

21          say "suicide-related." It says "possibly."           02:49:55

22   Q       Okay.           02:49:57

23                   And it's a sixfold increase?           02:49:58

24   A       It says it's possibly -- it --           02:49:59

1 Q It's sixfold -- 02:50:01

2 02:50:01

3 A There's a -- there's a 5.9 risk ratio or, 02:50:02

4 you know, approximately a sixfold increase. 02:50:06

5 Q Of paroxetine kids over placebo kids taking 02:50:09

6 drugs, right? 02:50:13

7 MR. DAVIS: Objection to form. 02:50:14

8 Mischaracterizes the document. 02:50:15

9 A Not -- who -- with possible suicide-related 02:50:17

10 events. 02:50:20

11 Q Okay. 02:50:21

12 So a child taking Paxil had a 02:50:22

13 six-time increased risk of experiencing a 02:50:28

14 possible suicide-related event as opposed to 02:50:30

15 a child taking placebo, correct? 02:50:35

16 MR. DAVIS: Objection. 02:50:37

17 Mischaracterizes the study in terms 02:50:37

18 of the participants. 02:50:39

19 Q Is that correct, Doctor? 02:50:40

20 A I'm sorry, just say it once more and I'll 02:50:43

21 let you know. 02:50:45

22 MR. MURGATROYD: We'll have it read 02:50:46

23 back to you. 02:50:47

24 (Record read as requested.) 02:50:47

1 A Yes. 02:51:12

2 Q Okay. 02:51:12

3 Now, let's drop down to suicide 02:51:13

4 attempts. 02:51:16

5 Do you see that right below that? 02:51:16

6 A Yes. 02:51:17

7 Q And how many suicide attempts were on the 02:51:18

8 drug? 02:51:21

9 MR. DAVIS: Object to the form of the 02:51:21

10 question. 02:51:22

11 Mischaracterizes the document. 02:51:22

12 MR. GREEN: You can answer. 02:51:27

13 THE WITNESS: What? 02:51:29

14 MR. GREEN: You can answer. 02:51:29

15 A This says 5.4 percent. 02:51:30

16 Q Okay. 02:51:32

17 So that's -- so 5.4 percent of the 02:51:33

18 kids who were in your study, 329, tried to 02:51:35

19 kill themselves, correct? 02:51:38

20 MR. DAVIS: Object to the form. 02:51:40

21 Mischaracterizes the document and the 02:51:40

22 data. 02:51:42

23 A I don't think that's correct. 02:51:42

24 Q Do you think the FDA has wrong numbers here? 02:51:46



1 A No. I think the way you stated it is -- is 02:51:48  
2 not precise enough. 02:51:53  
3 Suicide attempts are then typically 02:51:56  
4 rated in terms of what's considered to be 02:52:01  
5 the intent of the attempt. So there are 02:52:04  
6 suicide attempts. 02:52:09  
7 There are people that char -- some 02:52:11  
8 attempts are characterized as something and 02:52:13  
9 it's not thought to be an effort to kill 02:52:15  
10 oneself. 02:52:20  
11 It's often perceived to be, you know, 02:52:21  
12 something between a -- they use the word 02:52:25  
13 gesture, which I don't particularly like, 02:52:32  
14 but itself-harm, self-harm. 02:52:35  
15 And, in fact, I do know -- I -- I did 02:52:38  
16 read a quote from Tom Laughren of the FDA in 02:52:41  
17 which he made the statement that he thought 02:52:46  
18 that -- because it struck all of us, that -- 02:52:48  
19 that cutting one's wrist, you know, wrist 02:52:52  
20 slashing with a little bit of blood, he 02:52:55  
21 didn't see that as necessarily a suicide 02:52:58  
22 attempt. 02:53:01  
23 Q Right. 02:53:02  
24 A It was an attempt at self-harm. 02:53:03

1                   So when you said -- when you -- what                   02:53:05

2                   I'm objecting to, Skip, because this is such                   02:53:06

3                   a serious matter, I mean, I know people, I                   02:53:08

4                   know parents whose children have killed                   02:53:11

5                   themselves, so I take this very seriously.                   02:53:14

6                   That the children were trying to kill                   02:53:16

7                   themselves, I think that's an improper way                   02:53:18

8                   to state it.                   02:53:21

9                   I think to go with the suicide                   02:53:23

10                  attempt is different; and in many of these                   02:53:26

11                  cases, I believe it was an attempt at                   02:53:29

12                  self-harm or a gesture but definitely not an                   02:53:32

13                  attempt to kill oneself.                   02:53:37

14                  Q       Well, there were kids in Study 329 who did                   02:53:39

15                  try to kill themselves, weren't there?                   02:53:43

16                  MR. DAVIS:   Object to the form.                   02:53:45

17                  A       I don't know that that's true.                   02:53:46

18                  Indeed, there have been no suicides                   02:53:47

19                  reported on any of the -- by adolescents in                   02:53:54

20                  any of the suicide databases, no actual                   02:53:57

21                  suicides.                   02:54:00

22                  Therefore --                   02:54:02

23                  Q       Wait, let me stop you right there.                   02:54:03

24                  Are you talking about in clinical                   02:54:05

1 trials or in -- 02:54:06

2 A Clinical trials. 02:54:07

3 Q Because there are suicide deaths by lots of 02:54:08

4 kids who are given antidepressants and then 02:54:11

5 not monitored and left alone that are 02:54:14

6 registered in the FDA database, correct? 02:54:16

7 MR. DAVIS: Object to the form. 02:54:18

8 There's no data to support that. 02:54:20

9 A Yes, I don't -- I don't -- I'm not aware of 02:54:22

10 any data to support that. 02:54:24

11 Q But -- 02:54:26

12 A But I can say -- 02:54:26

13 Q Well, let me just explore that for a second. 02:54:27

14 You're not -- you have -- 02:54:31

15 GSK has not shown you the documents 02:54:32

16 that show the number of kids who kill 02:54:33

17 themselves on Paxil? 02:54:34

18 A That is correct. 02:54:37

19 MR. DAVIS: Excuse me. Let me going 02:54:38

20 to object to the form. 02:54:38

21 Let's stop with the grandstanding. 02:54:40

22 If you've got a serious, legitimate 02:54:42

23 question, ask the witness; but don't make up 02:54:44

24 data, don't make up facts, don't make up 02:54:45







1 correct? 02:57:07

2 MR. DAVIS: Objection. 02:57:08

3 MR. GREEN: You were going to show it 02:57:09

4 to him, but you never did. 02:57:10

5 Q Oh, you never -- you never had a chance to 02:57:11

6 read it? 02:57:12

7 MR. GREEN: No. 02:57:13

8 Q Okay. Let's look at Exhibit 24. 02:57:13

9 MR. MURGATROYD: Jim, can you dig 02:57:16

10 that out for me, please? 02:57:17

11 MR. GREEN: That's the one we signed 02:57:19

12 the notice about, but then you didn't -- 02:57:20

13 MR. MURGATROYD: Yes, correct. 02:57:22

14 A Are we off the record? 02:57:24

15 Q No. We're going to stay on the record. 02:57:25

16 We need to move things along, or 02:57:28

17 we're going to run out of daylight. 02:57:30

18 THE WITNESS: I think it's cortical 02:57:31

19 function that's a higher, more immediate 02:57:31

20 risk than daylight. 02:57:31

21 MR. GREEN: It wasn't 23 -- 02:57:43

22 MR. MURGATROYD: It was 24 at the 02:57:45

23 bottom. 02:57:47

24 MR. GREEN: Here it is. You want to 02:57:55





1 A This was sent on? 02:59:13

2 THE WITNESS: Who is this from? 02:59:26

3 MR. GREEN: It's from -- it's from 02:59:28

4 someone at GSK. 02:59:30

5 THE WITNESS: It's to. 02:59:35

6 MR. GREEN: To someone at GSK from 02:59:37

7 someone at GSK. 02:59:38

8 THE WITNESS: No, no, these are the 02:59:40

9 ccc's. I can't see who it's from. 02:59:41

10 Q That's fine. It's an internal GSK email. 02:59:43

11 A Okay. 02:59:46

12 Q Okay. That's fine. 02:59:47

13 A I just didn't know whether it was from the 02:59:47

14 FDA to -- 02:59:50

15 Q No, it wasn't. No, internal. 02:59:51

16 A Mm-hmm. 02:59:52

17 (Witness read document.) 02:59:53

18 A QP. What's the QP? 03:00:58

19 Q Don't know. 03:01:04

20 A Okay. So I've -- 03:01:05

21 Q All right. 03:01:06

22 A I've gone through it. 03:01:07

23 Q Have you had a chance to review it? 03:01:08

24 A Yes, sir. 03:01:09

1	Q	Okay.	03:01:09
2		Is there a statement in there about a	03:01:09
3		definite risk of suicidality?	03:01:12
4	A	Yes.	03:01:17
5	Q	Okay.	03:01:18
6		Can you read it into the record,	03:01:18
7		please?	03:01:19
8	A	"In adolescents treated with paroxetine,	03:01:20
9		there is a definite risk of increased	03:01:23
10		suicidality."	03:01:26
11	Q	Okay.	03:01:27
12		Now, has GSK shared that information	03:01:28
13		with you today before you saw this document?	03:01:33
14		MR. DAVIS: Object to the form.	03:01:36
15	A	No.	03:01:37
16	Q	Okay.	03:01:37
17		Can you read next bullet point,	03:01:37
18		please?	03:01:38
19	A	"We have little or no evidence of efficacy	03:01:38
20		in major depressive illness in this age	03:01:40
21		group."	03:01:43
22	Q	Okay.	03:01:45
23		Has GSK shared that information with	03:01:45
24		you prior to you reading this document day?	03:01:48

1 MR. DAVIS: Object to the form. 03:01:49

2 A No. 03:01:50

3 Q Okay. 03:01:50

4 Now, do you contend that the results 03:01:53

5 of Study 329 as written up in your article 03:01:59

6 demonstrate efficacy in pediatric 03:02:04

7 depression? 03:02:08

8 Efficacy of paroxetine in treating 03:02:13

9 pediatric depression, to be exact. 03:02:14

10 A Yes. I believe as stated in the conclusion, 03:02:20

11 that paroxetine -- that -- let me put it 03:02:24

12 this way: 03:02:27

13 That this study, this -- the only 03:02:28

14 thing I'm counting upon is this experiment. 03:02:33

15 Q Mm-hmm. 03:02:35

16 A That this study showed evidence that 03:02:36

17 paroxetine is effective for major depression 03:02:40

18 in adolescents. 03:02:44

19 And as a way -- a partial support for 03:02:46

20 that would -- I believe that this is 03:02:49

21 supported more broadly, that in some of the 03:02:52

22 FDA materials that I did look at -- and I 03:02:57

23 only saw parts of it -- there was a special 03:03:03

24 notation made in one of the tables that 03:03:05

1 pointed out specifically that on several of 03:03:10

2 the depression improvement outcome 03:03:14

3 variables, Study 329 -- I don't know if they 03:03:16

4 called it 329, but they were referring to 03:03:21

5 this study -- did show evidence of efficacy. 03:03:23

6 So in that -- in the whole sea of 03:03:25

7 studies that were reviewed and so on and so 03:03:28

8 forth, specific mention was made in the 03:03:30

9 table about this study showing evidence. 03:03:33

10 And the same, I believe -- I don't 03:03:37

11 know whether it was someone that the FDA 03:03:39

12 asked to review the materials or whether it 03:03:42

13 was a member of the FDA, but I know I've 03:03:48

14 read that. 03:03:51

15 Q Right. 03:03:52

16 And that was the table that stated 03:03:52

17 that 329 was a negative or failed study? 03:03:54

18 Do you remember that part of the 03:03:57

19 table? 03:03:58

20 MR. DAVIS: Objection. 03:03:58

21 A No. 03:03:59

22 Q Well, let me show it to you. 03:03:59

23 I believe what you're referring to is 03:04:16

24 Thomas Laughren's memo. And I'm going to 03:04:17

1	mark as it Exhibit 33.	03:04:23
2	(Exhibit No. 33 marked for	03:04:27
3	identification.)	03:04:27
4	BY MR. MURGATROYD:	03:04:27
5	Q And there is a table --	03:04:28
6	A Can I ask my counsel a question?	03:04:29
7	Q Sure, you can. You can go out -- you can go	03:04:31
8	off the record.	03:04:33
9	THE VIDEOGRAPHER: Don't forget your	03:04:34
10	microphone. It's four minutes after 3:00.	03:04:35
11	We're off the record.	03:04:40
12	(Recess.)	03:04:41
13	THE VIDEOGRAPHER: We are back on the	03:10:16
14	record. The time is 12 minutes after 3:00.	03:10:17
15	BY MR. MURGATROYD:	03:10:20
16	Q Before we took the break, Doctor, you said	03:10:20
17	you had reviewed or seen an FDA document	03:10:22
18	that had a table that referenced the -- some	03:10:25
19	efficacy coming out of 329; is that correct?	03:10:29
20	A Referencing what?	03:10:32
21	Q Some efficacy coming out of 329?	03:10:33
22	A Yes, yes.	03:10:35
23	Q And I've presented you with an exhibit which	03:10:36
24	we've marked as Exhibit 33, correct?	03:10:38

1	A	Yes.	03:10:41
2	Q	Okay.	03:10:42
3		And I've shown you a table that's	03:10:42
4		attached -- that's part of that exhibit,	03:10:44
5		correct?	03:10:45
6	A	Yes.	03:10:46
7	Q	And is that the table you were referring to?	03:10:46
8	A	There's something in addition to this that I	03:10:51
9		am pretty sure we produced. There's	03:10:56
10		something in addition to this, and I believe	03:10:59
11		it was --	03:11:01
12		I don't see the name on here. Either	03:11:02
13		someone named Mosbach or -- there were two	03:11:04
14		people, Mosbach and someone else.	03:11:08
15	Q	Mosholder.	03:11:11
16	A	Something like that.	03:11:13
17	Q	Yes. Okay.	03:11:14
18	A	And another one, there was another	03:11:14
19		individual.	03:11:16
20		So in addition to this material,	03:11:16
21		which I think I've seen before --	03:11:20
22	Q	Okay.	03:11:22
23	A	-- and I may even have produced this, I	03:11:22
24		believe there's something else.	03:11:24

1 Q Yes, I think we'll get to that in a minute. 03:11:26

2 Let's go to that table in the back. 03:11:27

3 A Okay. 03:11:29

4 Q Do you see the table in the back? 03:11:31

5 MR. DAVIS: Can I go off the record 03:11:34

6 and take this for a second? 03:11:35

7 THE VIDEOGRAPHER: The time is 3:13. 03:11:37

8 We're off the record. 03:11:38

9 (Exhibit No. 34 marked for 03:12:23

10 identification.) 03:12:23

11 THE VIDEOGRAPHER: We're back on the 03:12:32

12 record. The time is 3:14. 03:12:32

13 BY MR. MURGATROYD: 03:12:34

14 Q Okay. 03:12:35

15 And, Doctor, we were talking about 03:12:35

16 the chart that's attached or part of that 03:12:36

17 Exhibit 33, correct? 03:12:38

18 A Yes. 03:12:41

19 Q And does it reference Study 329? 03:12:41

20 A Yes. 03:12:43

21 Q And does it say that it was a negative 03:12:44

22 study? 03:12:46

23 A It says that it was -- it says the summary 03:12:47

24 is outcome negative, and the footnote is 03:12:51

1	Keller, et al. 2001; positive on most	03:12:56
2	secondary endpoints.	03:12:59
3	Q Okay.	03:13:01
4	So the --	03:13:01
5	A And the description in here says one paper	03:13:02
6	describes one of the Paxil studies as a	03:13:04
7	positive on those secondary endpoints while	03:13:06
8	acknowledging that it failed on the primary	03:13:09
9	endpoint.	03:13:11
10	Q Okay.	03:13:12
11	A So I guess --	03:13:13
12	Q And it's listed as a negative study,	03:13:14
13	correct?	03:13:16
14	MR. DAVIS: Object to the form.	03:13:17
15	A The interpretation in here is that it's a	03:13:18
16	negative study.	03:13:20
17	Q And that's consistent -- that's consistent	03:13:22
18	with the Mosholder statement that you were	03:13:24
19	talking about earlier, that you saw another	03:13:26
20	document by a man by the name of Mosholder	03:13:28
21	from the FDA?	03:13:31
22	Do you recall that?	03:13:32
23	A You'd have to show me.	03:13:33
24	Q All right.	03:13:34



1 I'm going to show you what I've 03:13:36

2 marked as Exhibit 34, which is clinical 03:13:36

3 review by a reviewer by the name of Andrew 03:13:40

4 D. Mosholder, MD, MPH, dated 10/7/02. 03:13:42

5 And I will show you that he 03:13:49

6 analyzed -- you can take a look through the 03:13:54

7 document. I think you're familiar with it, 03:13:56

8 the efficacy -- 03:13:57

9 A Yes. I have to see it again -- 03:13:58

10 Q Okay. Great. We'll let you take a look at 03:13:59

11 that. 03:14:02

12 (Witness read document.) 03:14:03

13 MR. DAVIS: Can we go off the record 03:14:20

14 again? 03:14:21

15 THE VIDEOGRAPHER: The time is 3:16. 03:14:22

16 We're off the record. 03:14:24

17 (Recess.) 03:14:37

18 THE VIDEOGRAPHER: We're back on the 03:15:20

19 record. The time is 3:17. 03:15:21

20 A Okay. 03:15:37

21 Q Okay. 03:15:38

22 And is that the document you were 03:15:38

23 referring to a few minutes ago? 03:15:39

24 A I think so. 03:15:41

1 Q Okay. 03:15:41

2 And it is by Andrew Mosholder, you 03:15:42

3 see that on the front cover? 03:15:45

4 A Yes. 03:15:46

5 Q And it does talk about three Paxil studies, 03:15:47

6 and they refer to the treatment of MDD or 03:15:49

7 Major Depressive Disorder. 03:15:52

8 Do you see that? 03:15:54

9 A Yes. 03:15:55

10 Q Okay. 03:15:55

11 And the three are 377, 701 and then 03:15:56

12 your study, 329; is that correct? 03:16:01

13 A Yes. 03:16:04

14 Q Okay. 03:16:04

15 And with regard with 329, does 03:16:04

16 Mr. Mosholder refer to it as a failed study? 03:16:08

17 MR. DAVIS: Object to the form. 03:16:12

18 A What he says is interesting. He says, "On 03:16:17

19 balance, this trial should be considered as 03:16:20

20 a failed trial." 03:16:24

21 Q Okay. 03:16:26

22 And why is -- 03:16:26

23 A And that neither -- 03:16:27

24 Q Okay. Go ahead. 03:16:29

1 A -- active treatment group shows superiority 03:16:30

2 over placebo by a statistically significant 03:16:33

3 margin. 03:16:35

4 So he's saying that on balance, he 03:16:38

5 does -- he did enumerate at least four 03:16:40

6 outcome measures which were positive. 03:16:46

7 Q But concluded that the trial was a failed 03:16:51

8 study, right? 03:16:52

9 MR. DAVIS: Object to the form. 03:16:53

10 Q That's his words? 03:16:56

11 A Failed trial. 03:16:57

12 Q Okay. 03:16:57

13 And are you aware that GSK has 03:16:58

14 disavowed your assertion that Study 329 03:17:03

15 showed efficacy of paroxetine in treating 03:17:07

16 kids for depression? 03:17:09

17 MR. DAVIS: Objection to the form. 03:17:09

18 Mischaracterizes the testimony. 03:17:11

19 A No. 03:17:16

20 Q Let's take a look at a whole slew of 03:17:16

21 documents. 03:17:18

22 MR. DAVIS: Move to strike counsel's 03:17:18

23 colloquy. 03:17:20

24 MR. MURGATROYD: We're at 35? 03:17:20

1 THE WITNESS: Well, actually, in 03:17:22

2 terms of -- if I can elaborate in my 03:17:23

3 response to your question, just because I -- 03:17:26

4 Though I don't remember the details, 03:17:33

5 and I assume that we're going to go through 03:17:33

6 the article, as I said to you earlier, 03:17:37

7 because when I jumped and said no, there is 03:17:41

8 this manu -- draft of the manuscript that 03:17:45

9 GSK did send me that was produced which both 03:17:50

10 aggregated the results three studies as well 03:17:55

11 as had a reanalysis of the data in Study 03:17:57

12 329, and I don't remember the details of 03:18:01

13 that, of what was in there right now. 03:18:05

14 When I look at it, I'm sure it will 03:18:09

15 refresh me, but I do remember disagreeing 03:18:10

16 strongly with the way the manuscript was 03:18:13

17 constructed and the conclusions reached by 03:18:17

18 the authors of the manuscript at GSK. 03:18:20

19 BY MR. MURGATROYD: 03:18:22

20 Q Okay. 03:18:22

21 A And there was quite a bit of exchange about 03:18:23

22 that, so... 03:18:25

23 Q Yes, we'll get into that. 03:18:26

24 A So -- no, so what I'm saying, Skip, I'm 03:18:28

1	trying to make sure I don't misrepresent.	03:18:29
2	When I quickly answered no, it's	03:18:32
3	possible that what I was disagreeing with	03:18:35
4	was the -- the conclusion that they reached	03:18:39
5	through the process I described, which was	03:18:41
6	different than -- which I thought was not	03:18:43
7	accurate.	03:18:45
8	Q That's all right.	03:18:47
9	Well, let's take a look at the	03:18:47
10	conclusion they arrived at just by looking	03:18:49
11	at your study with the next document that	03:18:51
12	I'll show you, which is -- I've marked as	03:18:53
13	Exhibit 35.	03:18:55
14	(Exhibit No. 35 marked for	03:18:56
15	identification.)	03:18:56
16	(Witness read document.)	03:18:57
17	MR. DAVIS: And I'd like to see that	03:19:10
18	before the witness is questioned about the	03:19:11
19	document.	03:19:12
20	(Witness read document.)	03:19:12
21	A Okay, I've read that.	03:19:27
22	Q Okay.	03:19:28
23	MR. DAVIS: May I see that, please?	03:19:29
24	MR. MURGATROYD: Let's show it to	03:19:30

1	Mr. Davis.	03:19:31
2	(Counsel read document.)	03:19:32
3	MR. DAVIS: Okay.	03:19:39
4	MR. MURGATROYD: Okay.	03:19:40
5	Can I have that for a second?	03:19:42
6	MR. DAVIS: Okay.	03:19:43
7	BY MR. MURGATROYD:	03:19:45
8	Q And, Doctor, you see that that is an email,	03:19:50
9	correct?	03:19:54
10	A Yes.	03:19:56
11	Q Okay.	03:19:56
12	It's not addressed to you, though, is	03:19:57
13	it?	03:19:58
14	A No.	03:19:58
15	Q Okay.	03:19:59
16	And it's talking about Study 329; is	03:19:59
17	that correct?	03:20:02
18	Do you see that in the referenced	03:20:03
19	section of the email?	03:20:04
20	A Yes.	03:20:05
21	Q Okay.	03:20:06
22	And what -- do you see the sentence	03:20:06
23	that begins with the word "essentially" in	03:20:08
24	the first big paragraph?	03:20:10

1 A Yes. 03:20:13

2 Q Can you read that into the record, please? 03:20:14

3 A "Essentially the study did not really show 03:20:16

4 Paxil was effective in treating adolescent 03:20:19

5 depression, which is not something we want 03:20:21

6 to publicize. However, we should prepare a 03:20:24

7 Q&A and key messages in case reporters do 03:20:27

8 cover this study. The proofs would come in 03:20:31

9 handy." 03:20:34

10 Q Now, would you agree, sir, that that 03:20:37

11 statement is inconsistent with your 03:20:40

12 conclusion in your article that paroxetine 03:20:43

13 or Paxil is efficacious for kids who have 03:20:45

14 depression? 03:20:50

15 MR. DAVIS: Objection. 03:20:50

16 No foundation as to the circumstances 03:20:50

17 surrounding the document that the witness is 03:20:52

18 being presented with. 03:20:54

19 MR. GREEN: You can answer it. 03:20:56

20 A Yes, again, Skip, I'm not trying to be -- 03:20:57

21 well, I am trying to be precise. I'm not 03:21:00

22 trying to be picky unnecessarily. 03:21:03

23 You said kids and -- 03:21:05

24 Q Children and adolescents. 03:21:07

1 A No, I would say adolescents. 03:21:10

2 Q Okay. Fine. 03:21:12

3 A That -- so if you -- if you wouldn't mind 03:21:13

4 restating your question to me. 03:21:16

5 Q Well, I just want to know whether or not the 03:21:19

6 statement that you just read into the record 03:21:21

7 is inconsistent with the conclusion that you 03:21:23

8 drew or you state in your article. 03:21:26

9 MR. DAVIS: Same objections. 03:21:30

10 A It's -- it's inconsistent to an extent, but 03:21:42

11 not completely. It's a matter of emphasis, 03:21:45

12 because the sentence, as I read it, says, 03:21:48

13 "Essentially, the study did not really show 03:21:51

14 Paxil was effective." 03:21:58

15 And I think that we concluded, as was 03:22:01

16 characterized by Laughren or someone else, 03:22:06

17 that though our study wasn't positive on the 03:22:08

18 primary outcome measures, it was positive on 03:22:12

19 four other outcome measures. 03:22:17

20 So I don't think this is a -- you 03:22:19

21 know, I think it's a partial -- you know, 03:22:21

22 it's a disagreement in -- in emphasis. 03:22:27

23 But I -- and I don't really -- I 03:22:34

24 don't know specifically what was meant by 03:22:36



1 the phrase "did not really show." 03:22:38

2 Q Okay. 03:22:41

3 A But that may well have been the part which 03:22:41

4 was in agreement that we had for 03:22:44

5 statistically significant differences. 03:22:48

6 Q All right. Well, let's take a look at the 03:22:50

7 next document. 03:22:52

8 (Exhibit No. 36 marked for 03:22:53

9 identification.) 03:22:53

10 BY MR. MURGATROYD: 03:22:53

11 Q I think it's a little clearer, and I'll mark 03:22:53

12 this Exhibit 36. 03:22:56

13 It's a GSK sales connection memo that 03:22:57

14 has attached to it use of Paxil CR or Paxil 03:22:59

15 in pediatric patients. 03:23:02

16 And I've put a red sticky -- you're 03:23:04

17 free to read the document. I've put a red 03:23:07

18 sticky by the part that I'm going to 03:23:10

19 question you about. 03:23:13

20 MR. MURGATROYD: Todd, you need -- I 03:23:15

21 think you stuck a confidential stamp on that 03:23:16

22 as well as almost every other document 03:23:17

23 you've produced in this case, and I want to 03:23:21

24 know if you're willing to remove that from 03:23:23

1 under seal, as well as the previous 03:23:24

2 document. 03:23:26

3 Actually, this one isn't under seal. 03:23:27

4 MR. DAVIS: Well, if you're going 03:23:30

5 question him about the attachment -- 03:23:31

6 MR. MURGATROYD: Yes. 03:23:33

7 MR. DAVIS: That the attachment is a 03:23:33

8 medical information letter that gets sent to 03:23:34

9 healthcare providers upon an unsolicited 03:23:37

10 request, then that would not be subject to 03:23:39

11 the protective order. 03:23:41

12 MR. MURGATROYD: Okay. 03:23:43

13 How about the whole document? 03:23:43

14 MR. DAVIS: Certainly can -- yes, 03:23:54

15 we'll dedesignate that. That's fine. 03:23:57

16 BY MR. MURGATROYD: 03:23:59

17 Q All right. Doctor, if you would, take a 03:24:00

18 look at that exhibit. 03:24:02

19 The red tagged -- feel free to look 03:24:03

20 at the whole document, but I'm talking about 03:24:05

21 the paragraph that has the red sticky on it. 03:24:08

22 (Witness read document.) 03:24:25

23 A Okay. 03:24:47

24 Q Have you had a chance to review that? 03:24:48

1 A Yes. 03:24:49

2 Q Okay. 03:24:50

3 Can I see that for a second, please? 03:24:50

4 Can you read into the record, please, 03:24:59

5 the third bullet point that starts with the 03:25:00

6 word "from"? 03:25:02

7 A "From an efficacy standpoint, trials in 03:25:03

8 pediatric patients have shown Paxil to be 03:25:05

9 statistically superior to placebo in the 03:25:07

10 treatment of OCD and social anxiety 03:25:09

11 disorder. The studies did not show a 03:25:13

12 benefit for the treatment of MDD in children 03:25:15

13 or adolescents under the -- under 18 years 03:25:20

14 of age. Conclusions regarding efficacy and 03:25:22

15 safety of Paxil and Paxil CR in children and 03:25:25

16 adolescents for the treatment of panic 03:25:29

17 disorders, GAD and PTSD await further 03:25:31

18 study." 03:25:35

19 Q Okay. 03:25:36

20 We're just talking about Major 03:25:36

21 Depressive Disorder, correct? 03:25:38

22 And what does it say again regarding 03:25:39

23 just Major Depressive Disorder? 03:25:41

24 A The studies did not show a benefit for the 03:25:42

1 treatment of Major -- of MDD in children or 03:25:45

2 adolescents under 18 years of age. 03:25:48

3 Q And would you agree, Doctor, that that 03:25:51

4 statement is inconsistent with your 03:25:52

5 conclusion in your article? 03:25:54

6 A Yes. 03:25:55

7 Q Okay. 03:25:56

8 Let me show you the next document. 03:25:57

9 (Exhibit No. 37 marked for 03:25:59

10 identification.) 03:25:59

11 MR. DAVIS: Can I see that? 03:25:59

12 MR. MURGATROYD: 37 or 38? 03:26:09

13 Todd, what's the number on the front 03:26:12

14 of that? 03:26:14

15 MR. DAVIS: 37 -- excuse me, 36. 03:26:15

16 MR. MURGATROYD: 36? 03:26:19

17 MR. DAVIS: Yes, you're up to 37. 03:26:20

18 May I look at that, please, before 03:26:21

19 you show it to the witness? 03:26:23

20 MR. MURGATROYD: Yes. 03:26:25

21 (Counsel read document.) 03:26:26

22 MR. DAVIS: Okay. 03:26:37

23 (Witness read document.) 03:27:34

24 A Okay. 03:27:48

1	BY MR. MURGATROYD:	03:27:48
2	Q Have you had a chance to review that	03:27:48
3	document?	03:27:50
4	A Yes.	03:27:50
5	Q And can you identify for the record what	03:27:50
6	that document is?	03:27:52
7	It's a Dear Healthcare Provider	03:28:02
8	document?	03:28:04
9	A Yes, yes.	03:28:04
10	Q Okay.	03:28:05
11	And is it from -- look like -- does	03:28:05
12	it appear to be sent out from GSK?	03:28:06
13	A Yes.	03:28:08
14	Q Okay.	03:28:08
15	And can I see it for a second,	03:28:09
16	please?	03:28:11
17	MR. MURGATROYD: So the record's	03:28:15
18	clear, it's dated June 2003, which is in the	03:28:16
19	bottom right-hand corner.	03:28:18
20	Q And, Doctor, can you read the paragraph into	03:28:20
21	the record that starts with "A recently"?	03:28:23
22	A "A recently completed program of clinical	03:28:28
23	trials in children and adolescents under 18	03:28:32
24	years of age failed to demonstrate efficacy	03:28:33

1 in Major Depressive Disorder and there was a 03:28:36

2 doubling of the rate of reporting of adverse 03:28:40

3 events in the paroxetine group compared with 03:28:42

4 the placebo, including: Concluding 03:28:44

5 decreased appetite, tremor, sweating, 03:28:46

6 hyperkinesia, hostility, agitation, 03:28:49

7 emotional lability (including crying, mood 03:28:52

8 fluctuations, self-harm, suicidal thoughts 03:28:55

9 and attempted suicide)."

10 Q Now, would you agree, Doctor, that that 03:29:01

11 statement is inconsistent with the 03:29:02

12 conclusion that you drew or you stated in 03:29:03

13 your article in 329? 03:29:06

14 MR. DAVIS: Object to the form. 03:29:09

15 A Well, this Exhibit 37 does not specifically 03:29:26

16 refer to Study 329. It refers to a program 03:29:31

17 of completed clinical trials, so this does 03:29:38

18 not specifically contradict 329. 03:29:42

19 Q Well, 329 says the drug is safe and 03:29:50

20 effective, right? 03:29:53

21 I mean, your -- your article 03:29:54

22 basically says that Paxil was safe and 03:29:55

23 effective for kids, right? 03:29:57

24 A It says it's well-tolerated, and I -- I 03:29:59

1           don't think this agrees -- disagrees about           03:30:01

2           its being well tolerated, and --           03:30:05

3       Q       Does it disagree about it being effective?           03:30:08

4       A       What I'm saying is that this says that the           03:30:10

5           recent -- the completed program of           03:30:12

6           studies --           03:30:15

7       Q       Right.           03:30:16

8       A       -- whatever the word is, failed to           03:30:18

9           demonstrate.           03:30:21

10      Q       Okay.           03:30:22

11      A       I'm making a distinction between that. And           03:30:23

12           it doesn't comment specifically on this.           03:30:25

13      Q       Okay.           03:30:27

14                       Will you agree that 329 is included           03:30:27

15           in that program; will you not?           03:30:29

16      A       Yes.           03:30:31

17      Q       Okay.           03:30:31

18                       Now --           03:30:31

19                       MR. DAVIS: Just to give you a           03:30:36

20           heads-up, it's almost 3:45.           03:30:36

21                       MR. MURGATROYD: Okay. Thank you.           03:30:39

22      BY MR. MURGATROYD:           03:30:42

23      Q       Doctor, would you consider a drug that           03:30:43

24           causes -- that has a sixfold increase of           03:30:45

1 possible suicide events of kids or children 03:30:47

2 and adolescents who take Paxil over those 03:30:53

3 who take placebo to be a safe drug? 03:30:54

4 MR. DAVIS: Object to the form. 03:30:59

5 A I think the issue is one of tolerability and 03:31:21

6 degree and safety to an extent. 03:31:31

7 The concern I have about this whole 03:31:48

8 issue is that the distinction's been blurred 03:31:49

9 between possible suicide events, some of 03:31:57

10 which were rather minor efforts of -- of 03:32:04

11 self-mutilation or self-harm and between a 03:32:13

12 child -- an adolescent or a child; but I'm 03:32:17

13 more talking about the adolescents 03:32:23

14 attempting to kill themselves. 03:32:26

15 Now, killing oneself certainly -- and 03:32:28

16 an effort to kill oneself, to the extent 03:32:32

17 that that's the case, I would certainly 03:32:35

18 think that any medication that did that is 03:32:37

19 not safe. 03:32:42

20 Q Okay. 03:32:43

21 A But I'm just -- I'm just wanting to be 03:32:43

22 careful. 03:32:46

23 Q I understand. 03:32:47

24 A Because of the -- how important the issue 03:32:48



1 is. 03:32:50

2 Q I agree, and I appreciate your candor. 03:32:50

3 A To not, you know, blur the -- to not lump 03:32:52

4 everything. 03:32:55

5 Q Okay. That's fine. 03:32:56

6 Now, we have a lot more documents. I 03:33:02

7 think we've agreed to one more day. I think 03:33:04

8 Mr. Davis needs to leave to catch his plane. 03:33:06

9 I think you asked to stop at this 03:33:08

10 time. Is that correct, Todd? 03:33:10

11 MR. DAVIS: We all agreed that we 03:33:12

12 were going to stop at 4:00, and I've just 03:33:13

13 asked for an additional -- or 3:45, I guess, 03:33:15

14 is when we talked about stopping. 03:33:18

15 I just asked for an additional couple 03:33:19

16 of minutes so I could get on a plane. 03:33:21

17 MR. MURGATROYD: Okay. 03:33:24

18 I'm obviously not going to requite 03:33:24

19 you a couple of minutes, so we will stop for 03:33:27

20 now. 03:33:29

21 THE WITNESS: Okay. 03:33:30

22 BY MR. MURGATROYD: 03:33:30

23 Q And we'll pick this up at a date that's 03:33:30

24 convenient to you. 03:33:32

1 A Okay. 03:33:32

2 Q And if it's okay with you, we'd like to 03:33:32

3 communicate with your counsel, Mr. Green -- 03:33:34

4 A Sure. 03:33:36

5 Q -- to get an appropriate date and time. 03:33:36

6 A Yes. 03:33:38

7 MR. MURGATROYD: Okay? 03:33:38

8 MR. GREEN: Mm-hmm. 03:33:38

9 MR. MURGATROYD: Thank you. 03:33:40

10 MR. DAVIS: I reserve the right to -- 03:33:40

11 well, I think until the deposition is 03:33:44

12 complete, I think it's appropriate that -- 03:33:46

13 that the deposition not be utilized until 03:33:48

14 the questioning that I have is done, and 03:33:52

15 that's what's -- what the next session is 03:33:54

16 designed to do. 03:33:56

17 MR. MURGATROYD: Okay. 03:34:00

18 THE WITNESS: I have a question. Am 03:34:00

19 I -- am I free to go when you guys discuss 03:34:01

20 this? 03:34:03

21 MR. MURGATROYD: Yes, you're done. 03:34:03

22 THE VIDEOGRAPHER: The time is 3:35. 03:34:04

23 We're off the record. 03:34:06

24 (Discussion off the record.) 03:34:20

1 MR. MURGATROYD: By stipulation of 03:34:42  
2 counsel, provided Mr. Davis agrees, that 03:34:45  
3 under California law, you're supposed to 03:34:48  
4 maintain the original, but we're going to 03:34:49  
5 relieve you of that duty, and you're going 03:34:51  
6 to send the original to me at Baum Hedlund. 03:34:54  
7 You're going to send a copy to 03:34:59  
8 Mr. Green at our expense, who will forward 03:35:01  
9 it to Mr. -- Dr. Keller for his review and 03:35:04  
10 signature and with a note that any changes 03:35:08  
11 need to be forwarded on to me. Okay? 03:35:13  
12 Todd, you agree to that? 03:35:17  
13 MR. DAVIS: I agree. 03:35:18  
14 MR. MURGATROYD: Thank you very much. 03:35:18  
15 (Proceedings adjourned at 3:35 p.m.) 03:35:19  
16  
17  
18  
19  
20  
21  
22  
23  
24

## I N D E X

## WITNESS:

MARTIN B. KELLER, M.D.

Examination by Mr. Coffin	300
Continued examination by Mr. Murgatroyd	444

## E X H I B I T S

. 25	Nondisclosure agreement	299
. 26	Series of emails	352
. 27	Letter, 8/7/2001	357
. 28	Letter, Laden to Keller	365
. 29	JAMA review	374
. 30	Letter, Laden to McCafferty	391
. 31	Email string	445
. 32	Email, FDA to GSK	454
. 33	Thomas Laughren memo	472
. 34	Mosholder clinical review	474
. 35	Email	480
. 36	GSK sales connection memo	484
. 37	"Dear healthcare provider"	487
	document	

## C E R T I F I C A T E

1  
2  
3 I, Jill K. Ruggieri, Registered  
4 Merit Reporter and Certified Realtime Reporter, do  
5 certify that the deposition of MARTIN B. KELLER,  
6 M.D., in the above-captioned matters, on September  
7 7, 2006, was stenographically recorded by me,  
8 having been duly sworn by me, a Commissioner of  
9 Deeds for the State of Rhode Island and Providence  
10 Plantations; that the transcript produced by me is  
11 a true record and accurate record of the  
12 proceedings to the best of my ability; that I am  
13 neither counsel for, related to, nor employed by  
14 any of the parties to the above action; and further  
15 that I am not a relative or employee of any  
16 attorney or counsel employed by the parties  
17 thereto, nor financially or otherwise interested in  
18 the outcome of the action.

19  
20 \_\_\_\_\_  
21 Jill K. Ruggieri, RMR/CRR

22 My commission expires: April 7, 2007  
23  
24